

An underwater scene with a blue color palette. The title "RISING SEAS" is centered in large, bold, dark blue 3D letters. The background shows various marine life, including a jellyfish on the left and a fish on the right, with light rays filtering through the water.

**RISING
SEAS**

1
00:00:04,338 --> 00:00:05,205
>> MICHELLE: Hello
and welcome to

2
00:00:05,205 --> 00:00:06,807
NASA Goddard Space
Flight Center.

3
00:00:06,807 --> 00:00:07,774
Today we're going
to talk about

4
00:00:07,774 --> 00:00:09,543
one of the most compelling
issues of our day,

5
00:00:09,543 --> 00:00:10,677
sea level rise.

6
00:00:10,677 --> 00:00:12,813
And with me is
Dr. Tom Wagner,

7
00:00:12,813 --> 00:00:15,816
the Program Scientist for the
cryosphere at NASA headquarters.

8
00:00:15,816 --> 00:00:17,251
>> TOM WAGNER: And today,
we're going to take you

9
00:00:17,251 --> 00:00:19,753
right behind the frontlines
of sea level rise research,

10
00:00:19,753 --> 00:00:21,488
out into the field
with the researchers,

11

00:00:21,488 --> 00:00:22,756

and here's what we're
going to be talking about

12

00:00:22,756 --> 00:00:24,091

for the next hour.

13

00:00:24,091 --> 00:00:30,097

■[Music]■

14

00:01:33,727 --> 00:01:35,062

>> TOM WAGNER:

So the big thing is this.

15

00:01:35,062 --> 00:01:37,831

Around the world,
sea level is rising.

16

00:01:37,831 --> 00:01:40,367

It's going up by three
millimeters a year.

17

00:01:40,367 --> 00:01:43,770

In the last 20 years it's
gone up by three inches.

18

00:01:43,770 --> 00:01:46,840

And, we're trying to
understand kind of why that is.

19

00:01:46,840 --> 00:01:49,076

But more importantly,
we're trying to project out,

20

00:01:49,076 --> 00:01:51,878

you know, where it's going to
be in the next hundred years.

21

00:01:51,878 --> 00:01:53,013

Like it or not,

22

00:01:53,013 --> 00:01:55,749
already the East Coast of
the US is seeing flooding

23

00:01:55,749 --> 00:01:57,217
from sea level rise.

24

00:01:57,217 --> 00:01:58,685
It's things like
the big storms

25

00:01:58,685 --> 00:02:01,288
that hit New York and
New Jersey, Katrina.

26

00:02:01,288 --> 00:02:03,290
But even in places like
the Kennedy Space Center,

27

00:02:03,290 --> 00:02:05,192
we're seeing tremendous
amounts of erosion.

28

00:02:05,192 --> 00:02:07,427
They've gone as far
as to make a map

29

00:02:07,427 --> 00:02:09,129
of areas that they
are going to lose.

30

00:02:09,129 --> 00:02:11,865
The City of Miami is
getting routine flooding.

31

00:02:11,865 --> 00:02:13,834
Now, you might say,

32

00:02:13,834 --> 00:02:17,437
"Well, you know, three inches in
20 years, what's the big deal?"

33
00:02:17,437 --> 00:02:20,307
We can probably deal with
what we've already seen, right?

34
00:02:20,307 --> 00:02:22,509
But you know, the thing
that we're worried about

35
00:02:22,509 --> 00:02:25,679
is that as you project
out a hundred years,

36
00:02:25,679 --> 00:02:29,750
it could be more like three
feet, four feet, five feet,

37
00:02:29,750 --> 00:02:32,452
and the way that society
responds to that,

38
00:02:32,452 --> 00:02:34,955
the costs rise exponentially.

39
00:02:34,955 --> 00:02:35,856
>> MICHELLE: And
one of the things

40
00:02:35,856 --> 00:02:37,991
we're going to talk a
lot about today is ice,

41
00:02:37,991 --> 00:02:40,293
and talk to us about
why ice is so important

42
00:02:40,293 --> 00:02:42,129

in the topic of
sea level rise.

43

00:02:42,129 --> 00:02:43,630

>> TOM WAGNER:

Yeah. So in general,

44

00:02:43,630 --> 00:02:45,832

the rise that we're seeing
today comes from two places.

45

00:02:45,832 --> 00:02:47,901

One, as the
ocean warms up,

46

00:02:47,901 --> 00:02:50,637

it expands just like
hot air in a balloon.

47

00:02:50,637 --> 00:02:52,406

But also, two,
the big ice sheets,

48

00:02:52,406 --> 00:02:55,308

the glaciers of Greenland
and... of Greenland...

49

00:02:55,308 --> 00:02:57,477

the glaciers of
Canada and Alaska,

50

00:02:57,477 --> 00:02:59,746

the big ice sheets of
Greenland and Antarctica,

51

00:02:59,746 --> 00:03:02,249

they are waking up and
spitting ice into the ocean

52

00:03:02,249 --> 00:03:04,017

and that's the other
half of sea level rise.

53

00:03:04,017 --> 00:03:06,053

>> MICHELLE: And so, we're going
to be bringing in Josh Willis

54

00:03:06,053 --> 00:03:07,654

from the
Jet Propulsion Laboratory.

55

00:03:07,654 --> 00:03:09,256

And then we also
have Vena Chu

56

00:03:09,256 --> 00:03:11,291

who is at the University
of California, Berkeley.

57

00:03:11,291 --> 00:03:12,826

And I believe
that's Larry Smith

58

00:03:12,826 --> 00:03:16,063

from the University of
California, Los Angeles.

59

00:03:16,063 --> 00:03:19,599

>> JOSH WILLIS: Well, sea level
has been rising pretty steadily

60

00:03:19,599 --> 00:03:23,236

in the last 23 years
around the globe,

61

00:03:23,236 --> 00:03:26,606

and we've been measuring
this with Satellite Altimeters

62

00:03:26,606 --> 00:03:30,610

with our colleagues in the
French Space Agency, CNES,

63

00:03:30,610 --> 00:03:32,612
ever since 1992.

64

00:03:32,612 --> 00:03:35,382
And the net rate
of sea level rise

65

00:03:35,382 --> 00:03:37,784
is about three
millimeters per year,

66

00:03:37,784 --> 00:03:40,253
which works out to
about an inch a decade.

67

00:03:40,253 --> 00:03:44,424
And, it's interesting because
the rise isn't equal everywhere.

68

00:03:44,424 --> 00:03:48,628
In fact, in places like the
West Coast of the United States,

69

00:03:48,628 --> 00:03:51,498
sea level has actually
been falling very slightly,

70

00:03:51,498 --> 00:03:54,868
and this is because of the
natural cycles in the ocean

71

00:03:54,868 --> 00:03:58,805
and the way the oceans and
winds can push heat around

72

00:03:58,805 --> 00:04:00,474
and redistribute it

across the planet.

73

00:04:00,474 --> 00:04:03,810

So sea level rise is
actually not all that level.

74

00:04:03,810 --> 00:04:05,112

>> TOM WAGNER:

Yeah, but Josh, like,

75

00:04:05,112 --> 00:04:06,980

but... overwhelmingly, right?

76

00:04:06,980 --> 00:04:09,082

The total amount of sea
level rise that we've seen,

77

00:04:09,082 --> 00:04:11,551

say, in the 28th century
versus the last 20 years,

78

00:04:11,551 --> 00:04:12,552

what's it like?

79

00:04:12,552 --> 00:04:14,287

>> JOSH WILLIS: Sea
levels have increased.

80

00:04:14,287 --> 00:04:16,823

The rate of rise has
increased incredibly

81

00:04:16,823 --> 00:04:19,493

in the last hundred
years or so.

82

00:04:19,493 --> 00:04:21,061

In the early 1900s

83

00:04:21,061 --> 00:04:23,563

we were looking at about
one millimeter per year.

84

00:04:23,563 --> 00:04:26,666

In the 1950s it was more like
two millimeters per year,

85

00:04:26,666 --> 00:04:28,869

and now it's three
millimeters per year.

86

00:04:28,869 --> 00:04:31,838

And, in fact, if you
look back even farther,

87

00:04:31,838 --> 00:04:33,507

the last 2,000 years

88

00:04:33,507 --> 00:04:36,109

have had almost no
sea level rise whatsoever.

89

00:04:36,109 --> 00:04:39,312

So we've pushed the Earth
into a brand-new regime

90

00:04:39,312 --> 00:04:41,882

and sea level rise
is now the norm.

91

00:04:41,882 --> 00:04:43,183

>> TOM WAGNER: Can you tell
us a little bit, though?

92

00:04:43,183 --> 00:04:45,352

And I know Michelle was asking
about this before we started.

93

00:04:45,352 --> 00:04:47,387

How do we actually
get to those numbers?

94

00:04:47,387 --> 00:04:48,421

Like you were talking about,

95

00:04:48,421 --> 00:04:49,756

how do we know

what sea level rise was

96

00:04:49,756 --> 00:04:51,792

in the 1800s or the 1700s?

97

00:04:51,792 --> 00:04:54,261

I mean today, you've pointed out

we have this radar altimeters

98

00:04:54,261 --> 00:04:55,595

that measure the

height of the ocean,

99

00:04:55,595 --> 00:04:57,130

but what are the

other ways we know?

100

00:04:58,899 --> 00:05:00,801

>> JOSH WILLIS: Well, we've

had tide gauge records

101

00:05:00,801 --> 00:05:02,469

for 150 years,

102

00:05:02,469 --> 00:05:04,204

in a few places,

even longer.

103

00:05:04,204 --> 00:05:07,741

But in the

last 2,000 years

104

00:05:07,741 --> 00:05:11,178

we've actually been keeping
a record of sea level rise

105

00:05:11,178 --> 00:05:14,881

in the sediments in places
like North Carolina.

106

00:05:14,881 --> 00:05:16,917

There's a salt marsh there

107

00:05:16,917 --> 00:05:20,954

and it turns out that the land
there is steadily sinking,

108

00:05:20,954 --> 00:05:22,422

just very slightly.

109

00:05:22,422 --> 00:05:24,624

And as the water
creeps up the land,

110

00:05:24,624 --> 00:05:26,293

it leaves behind a record

111

00:05:26,293 --> 00:05:29,496

in the form of tiny
little bugs and critters,

112

00:05:29,496 --> 00:05:32,232

uh, that die and live
in the sediment.

113

00:05:32,232 --> 00:05:34,601

So, uh, people drilling
sediment cores

114

00:05:34,601 --> 00:05:37,070

have actually been

able to reconstruct

115

00:05:37,070 --> 00:05:41,107

a very accurate sea level record
for the last 2,000 years.

116

00:05:41,107 --> 00:05:45,946

And, what it shows is not
much until the last 150,

117

00:05:45,946 --> 00:05:48,148

and that's when sea level
rise really took off

118

00:05:48,148 --> 00:05:51,618

and we began to see the
rates we have today.

119

00:05:51,618 --> 00:05:52,452

>> MICHELLE:

Now, one of the things

120

00:05:52,452 --> 00:05:53,453

I think people aren't aware of

121

00:05:53,453 --> 00:05:55,622

is just how many
resources NASA has

122

00:05:55,622 --> 00:05:57,591

to study what's
changing on the Earth.

123

00:05:57,591 --> 00:05:58,491

Uh, I think that right now

124

00:05:58,491 --> 00:06:00,427

there are actually about
19 different space crafts

125
00:06:00,427 --> 00:06:01,528
that are orbiting the Earth

126
00:06:01,528 --> 00:06:02,529
taking readings
from everything

127
00:06:02,529 --> 00:06:04,731
about the oceans, the land,
and the atmosphere.

128
00:06:04,731 --> 00:06:06,766
What are some of the missions
that you specifically work with

129
00:06:06,766 --> 00:06:08,668
and... and what are some
of the data that you take?

130
00:06:10,770 --> 00:06:12,873
>> JOSH WILLIS: Well, the
best mission of all those

131
00:06:12,873 --> 00:06:15,942
is the Jason Missions,
in my humble opinion,

132
00:06:15,942 --> 00:06:17,777
because those are the
ones that I work on.

133
00:06:17,777 --> 00:06:19,846
But, uh, I think,
uh there's...

134
00:06:19,846 --> 00:06:21,781
as you say, there is a
whole bunch of missions.

135

00:06:21,781 --> 00:06:23,149

The Jason Missions

136

00:06:23,149 --> 00:06:25,719

measures sea level

directly from space,

137

00:06:25,719 --> 00:06:27,087

uh, missions like GRACE

138

00:06:27,087 --> 00:06:30,991

actually weigh the continents

and the oceans.

139

00:06:30,991 --> 00:06:32,692

>> MICHELLE: Yeah, that's

really excellent stuff,

140

00:06:32,692 --> 00:06:34,361

you know, and the GRACE

Mission that you mentioned

141

00:06:34,361 --> 00:06:36,763

is one of the things that

I find really compelling

142

00:06:36,763 --> 00:06:38,365

that, in fact,

there's so much water

143

00:06:38,365 --> 00:06:39,666

melting off these glaciers,

144

00:06:39,666 --> 00:06:42,202

it's actually changing the

gravity field of the Earth.

145

00:06:42,202 --> 00:06:43,270

I mean, could you tell

us a little bit about

146

00:06:43,270 --> 00:06:46,172

how GRACE works and how
that measurement is made?

147

00:06:46,172 --> 00:06:47,474

>> JOSH WILLIS: Yeah,
GRACE is really fun.

148

00:06:47,474 --> 00:06:50,677

Uh, in fact, um, it's
made of two satellites.

149

00:06:50,677 --> 00:06:52,779

Uh, they are named
Tom and Jerry

150

00:06:52,779 --> 00:06:54,814

and they chase
each other around,

151

00:06:54,814 --> 00:06:57,651

and whenever the first one
goes over something heavy,

152

00:06:57,651 --> 00:07:01,187

the pull of gravity causes
it to speed up just slightly.

153

00:07:01,187 --> 00:07:03,290

And the two satellites
actually measure

154

00:07:03,290 --> 00:07:05,091

the distance
between each other

155

00:07:05,091 --> 00:07:08,361

and you can use that
information to infer the mass

156

00:07:08,361 --> 00:07:10,530
of the thing
you're flying over.

157

00:07:10,530 --> 00:07:12,265
>> TOM WAGNER: Hey, Josh!
I got a question yesterday

158

00:07:12,265 --> 00:07:13,433
from a reporter.

159

00:07:13,433 --> 00:07:14,934
Can you just talk
a little bit about,

160

00:07:14,934 --> 00:07:18,371
okay, look, the radar
altimetry, the record we get

161

00:07:18,371 --> 00:07:20,173
and how we see things
like tide gauge,

162

00:07:20,173 --> 00:07:22,776
how do they work and how
does that all come together?

163

00:07:22,776 --> 00:07:24,177
>> JOSH WILLIS:
Yeah, well, uh,

164

00:07:24,177 --> 00:07:27,447
so the satellites that measure
sea level are really amazing.

165

00:07:27,447 --> 00:07:28,682
They're 800 miles up

166

00:07:28,682 --> 00:07:31,751
and they can measure
the level of the ocean

167
00:07:31,751 --> 00:07:35,422
in a... in about a
six-mile footprint

168
00:07:35,422 --> 00:07:38,458
with an accuracy of
just one inch or better.

169
00:07:38,458 --> 00:07:40,660
>> JOSH WILLIS: So it's an
incredibly accurate

170
00:07:40,660 --> 00:07:41,828
piece of equipment.

171
00:07:41,828 --> 00:07:45,231
Um it measures the entire
planet once every ten days.

172
00:07:45,231 --> 00:07:47,400
And by averaging all
of that data together,

173
00:07:47,400 --> 00:07:48,768
we can actually,

174
00:07:48,768 --> 00:07:51,404
uh, get an estimate of the
total level of the ocean,

175
00:07:51,404 --> 00:07:53,073
the total volume
of the ocean,

176
00:07:53,073 --> 00:07:55,642
with an accuracy of

about half a centimeter,

177

00:07:55,642 --> 00:07:57,544

so it's really tiny.

178

00:07:57,544 --> 00:08:01,648

And they're really incredibly,
accurate at these missions.

179

00:08:01,648 --> 00:08:02,849

In fact,

180

00:08:02,849 --> 00:08:07,320

we've often compared
them with tide gauges.

181

00:08:07,320 --> 00:08:08,955

So in a few key locations,

182

00:08:08,955 --> 00:08:09,956

we have tide gauges

183

00:08:09,956 --> 00:08:13,960

that have been running for the
entire 23-year long record

184

00:08:13,960 --> 00:08:17,797

and they've allowed us to
help, tie together

185

00:08:17,797 --> 00:08:19,866

one satellite after another.

186

00:08:19,866 --> 00:08:22,936

We've also been lucky enough
to have each satellite survive

187

00:08:22,936 --> 00:08:24,571

until the next

one was launched.

188

00:08:24,571 --> 00:08:27,474

So beginning with
TOPEX/Poseidon in 1992,

189

00:08:27,474 --> 00:08:30,610

uh, and then continuing
with Jason-1 in 2001

190

00:08:30,610 --> 00:08:32,612

and Jason-2 in 2008,

191

00:08:32,612 --> 00:08:34,748

and we're hopeful to
launch Jason-3

192

00:08:34,748 --> 00:08:38,084

sometime in the next six months,
something like that.

193

00:08:38,084 --> 00:08:41,654

Uh, and so, we're
really excited

194

00:08:41,654 --> 00:08:43,757

about our record
of sea level rise

195

00:08:43,757 --> 00:08:47,327

because, uh, it's one of the
most accurate means we have

196

00:08:47,327 --> 00:08:48,395

for charting,

197

00:08:48,395 --> 00:08:51,698

how humans are changing
the overall climate,

198
00:08:51,698 --> 00:08:53,199
on the planet.

199
00:08:53,199 --> 00:08:54,200
>> JOSH WILLIS: Because
if you think about it,

200
00:08:54,200 --> 00:08:56,469
the Earth is two-thirds ocean.

201
00:08:56,469 --> 00:08:57,804
>> TOM WAGNER: Great!
Hey, thank you very much

202
00:08:57,804 --> 00:08:59,873
and I know we're going to have
you back later in the show.

203
00:08:59,873 --> 00:09:01,007
But coming up next,
Michelle,

204
00:09:01,007 --> 00:09:02,776
I think we have a video?

205
00:09:02,776 --> 00:09:03,576
>> MICHELLE: Well, yes. In fact,

206
00:09:03,576 --> 00:09:04,778
we're going to be
speaking to a scientist

207
00:09:04,778 --> 00:09:05,812
that actually
does research

208
00:09:05,812 --> 00:09:07,847
sponsored by the NSF
in Greenland.

209

00:09:07,847 --> 00:09:09,315

So if we can roll
the video about

210

00:09:09,315 --> 00:09:12,152

Mike Bevis and
his work, please!

211

00:09:12,152 --> 00:09:13,620

>> MIKE BEVIS:
Yeah, this is the...

212

00:09:13,620 --> 00:09:17,757

this is the champion, uh,
glacier of Greenland.

213

00:09:17,757 --> 00:09:19,058

This is Jakobshavn,

214

00:09:19,058 --> 00:09:23,129

so this is the one that's
losing the most mass.

215

00:09:23,129 --> 00:09:26,366

It's losing so much mass
you can see it in space,

216

00:09:26,366 --> 00:09:28,435

they can see
gravity change.

217

00:09:28,435 --> 00:09:31,371

The loss of the mass is
causing gravity to change.

218

00:09:32,772 --> 00:09:34,474

Yeah, we've got instruments
all over the place

219

00:09:34,474 --> 00:09:36,443

but this is going to be one
of our most important ones.

220

00:09:36,443 --> 00:09:39,546

There's the antenna,
and it's bolted to the rock.

221

00:09:39,546 --> 00:09:43,116

So this ice is the...
is the weight

222

00:09:43,116 --> 00:09:45,685

holding the elastic
Earth down.

223

00:09:45,685 --> 00:09:49,055

As that weight is released
by the loss of ice,

224

00:09:49,055 --> 00:09:51,891

the ground is rising,
that antenna is rising.

225

00:09:51,891 --> 00:09:53,159

>> UNIDENTIFIED SPEAKER:
And you can measure that?

226

00:09:53,159 --> 00:09:54,694

>> MIKE BEVIS:
We can measure that.

227

00:09:54,694 --> 00:09:57,897

That's recording
data 24 hours a day,

228

00:09:57,897 --> 00:09:59,999

year after year after year.

229

00:09:59,999 --> 00:10:01,935
>> TOM WAGNER: Hey! So one
of the other things too is,

230
00:10:01,935 --> 00:10:05,071
how do we take this kind of
measurement you've made here,

231
00:10:05,071 --> 00:10:06,639
with literally a GPS device

232
00:10:06,639 --> 00:10:09,142
out in the field
banged into the rock?

233
00:10:09,142 --> 00:10:11,344
How do you combine that
with satellite information

234
00:10:11,344 --> 00:10:13,179
to get a big picture?

235
00:10:13,179 --> 00:10:14,280
>> MIKE BEVIS:
All the satellite...

236
00:10:14,280 --> 00:10:16,983
all the techniques have got the
strengths and the weaknesses.

237
00:10:16,983 --> 00:10:18,118
Uh so there is... for example,

238
00:10:18,118 --> 00:10:20,687
GRACE is incredibly precise,

239
00:10:20,687 --> 00:10:23,156
it can measure a
tiny change in mass,

240

00:10:23,156 --> 00:10:25,625

but it's not always
sure what that mass is.

241

00:10:25,625 --> 00:10:27,994

It could be the mass of
the rock is changing

242

00:10:27,994 --> 00:10:30,063

if you've got
post-glacial rebound

243

00:10:30,063 --> 00:10:32,932

or it could be the ice
or some combination.

244

00:10:32,932 --> 00:10:35,068

>> MIKE BEVIS:
So in that case,

245

00:10:35,068 --> 00:10:36,970

uh, you have to
make a correction

246

00:10:36,970 --> 00:10:39,239

for what the vertical
movement of the ground is

247

00:10:39,239 --> 00:10:41,541

so you're not spoofed
by a rock change

248

00:10:41,541 --> 00:10:43,276

rather than an ice change.

249

00:10:43,276 --> 00:10:44,878

It turns out
that correction

250

00:10:44,878 --> 00:10:46,546
is about the same
size as the answer.

251
00:10:46,546 --> 00:10:48,348
So if you get the
correction wrong,

252
00:10:48,348 --> 00:10:51,117
you start to get errors
in you answer.

253
00:10:51,117 --> 00:10:52,585
So GPS can help with that

254
00:10:52,585 --> 00:10:54,988
because this is going to
sense both elastic rebound

255
00:10:54,988 --> 00:10:57,190
and the slower
viscous rebound.

256
00:10:57,190 --> 00:11:00,126
And in general, you want to
combine different instruments

257
00:11:00,126 --> 00:11:03,530
so that each instrument is
compensating with its strengths

258
00:11:03,530 --> 00:11:05,832
for the weaknesses of
the other instruments.

259
00:11:05,832 --> 00:11:06,699
>> MICHELLE:
And this is something

260
00:11:06,699 --> 00:11:08,167

that I think most people
don't think about,

261

00:11:08,167 --> 00:11:10,737

the fact that the Earth,
you know, solid land itself

262

00:11:10,737 --> 00:11:12,305

is actually elastic,

263

00:11:12,305 --> 00:11:14,741

but as the ice melts
it's rebounding.

264

00:11:14,741 --> 00:11:16,042

>> MIKE BEVIS:

Yeah, like for example,

265

00:11:16,042 --> 00:11:19,145

I first realized this,
uh, in a big way

266

00:11:19,145 --> 00:11:21,114

when we were looking
at GPS stations

267

00:11:21,114 --> 00:11:22,882

in the Central Amazon basin.

268

00:11:22,882 --> 00:11:25,485

We noticed that these stations
were going up and down

269

00:11:25,485 --> 00:11:27,954

like 16 millimeters
every year.

270

00:11:27,954 --> 00:11:29,189

And then... and
then we looked at

271

00:11:29,189 --> 00:11:30,590

the height of
the Amazon River

272

00:11:30,590 --> 00:11:32,725

and we saw that as the
river was going up

273

00:11:32,725 --> 00:11:33,960

the ground was
going down,

274

00:11:33,960 --> 00:11:36,296

as the river went down
the ground was going up.

275

00:11:36,296 --> 00:11:38,264

It was just the
weight of the water

276

00:11:38,264 --> 00:11:40,900

deflecting the
surface downwards.

277

00:11:40,900 --> 00:11:41,834

>> TOM WAGNER: You've got...
how many stations

278

00:11:41,834 --> 00:11:43,303

do you have in
around Greenland?

279

00:11:43,303 --> 00:11:44,571

>> MIKE BEVIS:
We have 50 in Greenland.

280

00:11:44,571 --> 00:11:47,907

We have a similar number
in West Antarctica.

281

00:11:47,907 --> 00:11:49,776

>> TOM WAGNER: Wow!

And any fascinating results

282

00:11:49,776 --> 00:11:50,510

from any of those

stations particularly?

283

00:11:50,510 --> 00:11:51,344

>> MIKE BEVIS:

Yeah there's a lot,

284

00:11:51,344 --> 00:11:53,346

I mean, for example,

you see the places

285

00:11:53,346 --> 00:11:54,514

where people already knew

286

00:11:54,514 --> 00:11:55,982

is the

major ice loss

287

00:11:55,982 --> 00:11:58,484

like the Jakobshavn glacier

or Thwaites glacier,

288

00:11:58,484 --> 00:12:01,287

there is where you also see

the ground rising the fastest.

289

00:12:01,287 --> 00:12:04,123

One of the interesting

things is we see that,

290

00:12:04,123 --> 00:12:06,893

almost everywhere

it's accelerating.

291
00:12:06,893 --> 00:12:10,096
So for in Jakobshavn
it was rising about

292
00:12:10,096 --> 00:12:12,599
12 millimeters
a year in 2008.

293
00:12:12,599 --> 00:12:14,601
By the end of 2012,

294
00:12:14,601 --> 00:12:17,370
it was going up like 32,
33 millimeters a year.

295
00:12:17,370 --> 00:12:20,306
>> TOM WAGNER: The ground is
coming up 33 millimeters a year.

296
00:12:20,306 --> 00:12:22,242
>> MIKE BEVIS: Yeah,
more than an inch a year

297
00:12:22,242 --> 00:12:25,044
just because of the release
of the weight of the ice.

298
00:12:25,044 --> 00:12:26,012
>> MICHELLE: Wow!

299
00:12:26,012 --> 00:12:26,879
>> TOM WAGNER:
Hey, now, is it hard...

300
00:12:26,879 --> 00:12:29,115
it must be pretty tough
to put these stations in,

301
00:12:29,115 --> 00:12:30,583

in the Polar Regions.

302

00:12:30,583 --> 00:12:31,384

>> MIKE BEVIS:

It's challenging

303

00:12:31,384 --> 00:12:34,287

because they've got
to run all year, right?

304

00:12:34,287 --> 00:12:37,824

And so, for a large part
of the year, the winter,

305

00:12:37,824 --> 00:12:39,359

there is no sun,

306

00:12:39,359 --> 00:12:43,096

and so, you have to charge
up huge banks of batteries

307

00:12:43,096 --> 00:12:44,464

so you can get
through the night.

308

00:12:44,464 --> 00:12:47,100

So these are very large,
heavy systems.

309

00:12:47,100 --> 00:12:50,103

I think we spent like \$2
million on helicopter fees

310

00:12:50,103 --> 00:12:51,971

just to install G-NET.

311

00:12:51,971 --> 00:12:53,773

Uh all this weight goes in

312

00:12:53,773 --> 00:12:55,241
and then... and then, you...

313
00:12:55,241 --> 00:12:58,044
the sun charges those
batteries all summer long

314
00:12:58,044 --> 00:12:59,679
and then you can run
all through the winter

315
00:12:59,679 --> 00:13:01,748
and send the data
out via satellites.

316
00:13:01,748 --> 00:13:03,716
>> TOM WAGNER: God! Amazing!

317
00:13:03,716 --> 00:13:05,785
>> MICHELLE:
Now, the Earth rebounding,

318
00:13:05,785 --> 00:13:08,321
actually sort of bounding up
after the weight gets taken off,

319
00:13:08,321 --> 00:13:09,622
it must go very slowly.

320
00:13:09,622 --> 00:13:11,791
This isn't something that
just happens immediately.

321
00:13:11,791 --> 00:13:13,960
Is there also something
about the history of the ice

322
00:13:13,960 --> 00:13:16,329
and how the ice has changed
that's in your data as well?

323

00:13:16,329 --> 00:13:17,530

>> MIKE BEVIS: Yeah,
there are actually two...

324

00:13:17,530 --> 00:13:19,198

two ways the Earth behaves.

325

00:13:19,198 --> 00:13:20,600

There's an
elastic response,

326

00:13:20,600 --> 00:13:21,901

which is literally
instantaneous.

327

00:13:21,901 --> 00:13:25,405

So as you lose the ice, there's
an instantaneous adjustment.

328

00:13:25,405 --> 00:13:27,407

But then the Earth
also behaves viscously.

329

00:13:27,407 --> 00:13:31,844

It will flow away from a...
a stress like a weight.

330

00:13:31,844 --> 00:13:34,180

And that's... in
most of the world,

331

00:13:34,180 --> 00:13:37,583

that takes 10,000 years,
say, to happen.

332

00:13:37,583 --> 00:13:42,422

So the ground all around
Fennoscandia is rising now,

333

00:13:42,422 --> 00:13:43,990

not because of
what's happening now,

334

00:13:43,990 --> 00:13:47,126

but because what happened
12,000 years ago

335

00:13:47,126 --> 00:13:48,828

when the ice suddenly
disappeared.

336

00:13:48,828 --> 00:13:49,762

>> TOM WAGNER: God, amazing!

337

00:13:49,762 --> 00:13:51,864

Hey, Mike, thank you very,
very much for joining us.

338

00:13:51,864 --> 00:13:53,333

We really appreciate
you coming in.

339

00:13:53,333 --> 00:13:55,301

>> MICHELLE: Now we're going
to take a really close look

340

00:13:55,301 --> 00:13:57,537

at what's going
on inside the ice.

341

00:13:57,537 --> 00:13:58,738

And, we're going to start

342

00:13:58,738 --> 00:14:00,406

by looking at the
very surface of the ice

343

00:14:00,406 --> 00:14:02,308

because that's where a lot
of the melt is happening.

344

00:14:08,981 --> 00:14:10,249

>> NARRATOR: A short
helicopter flight

345

00:14:10,249 --> 00:14:12,251

from the edge of the
Greenland ice sheet

346

00:14:12,251 --> 00:14:15,088

lies a 27-square mile
network of streams

347

00:14:15,088 --> 00:14:18,024

draining the surface of the
ice as it melts in the sun.

348

00:14:20,660 --> 00:14:22,862

This summer, an
interdisciplinary team

349

00:14:22,862 --> 00:14:24,130

of NASA-funded researchers

350

00:14:24,130 --> 00:14:26,532

set up a camp near the
end of that network,

351

00:14:26,532 --> 00:14:29,469

where a large melt pond
emptied into an outlet stream,

352

00:14:29,469 --> 00:14:31,704

which then, a few
hundred meters later,

353

00:14:31,704 --> 00:14:33,473

disappeared under

a snow bridge

354

00:14:33,473 --> 00:14:36,075

and into a stunning
and dangerous moulin,

355

00:14:36,075 --> 00:14:38,377

a hole in the ice
leading far below.

356

00:14:43,583 --> 00:14:45,885

The team had many tools
at their disposal

357

00:14:45,885 --> 00:14:47,920

including drones
to map the area

358

00:14:47,920 --> 00:14:50,523

and provide a comparison
for satellite measurements.

359

00:14:53,426 --> 00:14:56,195

They also employed what was
essentially a boogie board

360

00:14:56,195 --> 00:14:57,964

mounted with a
Doppler instrument

361

00:14:57,964 --> 00:15:00,600

measuring the depth of the river
and the speed of its flow.

362

00:15:01,601 --> 00:15:02,735

Working in shifts,

363

00:15:02,735 --> 00:15:05,304

they conducted 72 straight
hours of measurements

364

00:15:05,304 --> 00:15:08,441
across the stream.

365

00:15:08,441 --> 00:15:11,944
They also made a series of short
helicopter flights upstream

366

00:15:11,944 --> 00:15:14,480
and placed floating sensors
into three tributaries

367

00:15:14,480 --> 00:15:16,716
to measure the
water as it moved.

368

00:15:41,707 --> 00:15:42,842
About an hour later,

369

00:15:42,842 --> 00:15:45,111
the team was thrilled to
see all three drifters

370

00:15:45,111 --> 00:15:47,713
pass by their camp within
a matter of minutes,

371

00:15:47,713 --> 00:15:50,683
presumably relaying a
few last observations

372

00:15:50,683 --> 00:15:52,752
before disappearing
into the moulin.

373

00:16:02,929 --> 00:16:04,363
But measuring
meltwater runoff

374

00:16:04,363 --> 00:16:06,299
was only part of the effort.

375

00:16:06,299 --> 00:16:09,202
Other researchers joined the
team to measure the albedo

376

00:16:09,202 --> 00:16:11,971
or the brightness of the snow
and ice in the region.

377

00:16:13,406 --> 00:16:14,807
This albedo determines

378

00:16:14,807 --> 00:16:17,310
how much of the sun's
energy will be absorbed,

379

00:16:17,310 --> 00:16:19,946
and therefore, how fast
the surface will melt.

380

00:16:22,081 --> 00:16:23,349
From helicopters,

381

00:16:23,349 --> 00:16:25,952
researchers measured
incoming solar radiation

382

00:16:25,952 --> 00:16:28,554
and compared it to the
light reflected by the ice.

383

00:16:29,889 --> 00:16:32,758
They also imaged the ice
using a digital camera,

384

00:16:32,758 --> 00:16:35,394
creating these beautiful
high-resolution mosaics

385

00:16:35,394 --> 00:16:38,297
to better map the region.

386

00:16:38,297 --> 00:16:40,366
After a short but
intense field season,

387

00:16:40,366 --> 00:16:43,002
the researchers packed up
camp and left the ice.

388

00:16:43,002 --> 00:16:44,904
Hopeful that the data
they'd acquired

389

00:16:44,904 --> 00:16:46,606
could help shed
light on the future

390

00:16:46,606 --> 00:16:49,075
of the Greenland ice sheet.

391

00:16:49,075 --> 00:16:51,244
>> MICHELLE: Now, we're going to
go to some of our colleagues,

392

00:16:51,244 --> 00:16:53,412
who are actually out at the
Jet Propulsion Laboratory today

393

00:16:53,412 --> 00:16:54,814
and we're going to
talk to Larry Smith

394

00:16:54,814 --> 00:16:56,816
from the University of
California, Los Angeles

395

00:16:56,816 --> 00:16:59,552
and Vena Chu from the University
of California, Berkeley.

396

00:16:59,552 --> 00:17:01,554
And Tom, maybe you can ask
them about the research.

397

00:17:01,554 --> 00:17:02,421
>> TOM WAGNER:
Hey, Larry and Vena,

398

00:17:02,421 --> 00:17:03,689
thanks for joining us today.

399

00:17:03,689 --> 00:17:04,757
I don't know if
you could see it

400

00:17:04,757 --> 00:17:06,759
but we just showed some video
of you guys out in the field.

401

00:17:06,759 --> 00:17:09,161
Can you tell us a little bit
about your field network

402

00:17:09,161 --> 00:17:10,663
and what it is that
you're measuring?

403

00:17:12,765 --> 00:17:14,300
>> LARRY SMITH:
Sure, absolutely.

404

00:17:14,300 --> 00:17:18,271
Our project focuses on the
hydrology of the surface,

405

00:17:18,271 --> 00:17:21,007

the melting surface of
the Greenland ice sheet,

406

00:17:21,007 --> 00:17:24,877

and this is a surprisingly
little-studied field

407

00:17:24,877 --> 00:17:27,313

in Glaciology for this
part of the world,

408

00:17:27,313 --> 00:17:30,182

but it's an important
one for society

409

00:17:30,182 --> 00:17:31,417

and for sea level rise

410

00:17:31,417 --> 00:17:34,086

because, already,

411

00:17:34,086 --> 00:17:36,622

melting of the surface of
the Greenland ice sheet

412

00:17:36,622 --> 00:17:39,792

is thought to contribute
about half to two-thirds

413

00:17:39,792 --> 00:17:42,094

of the total mass loss
from Greenland

414

00:17:42,094 --> 00:17:45,097

as measured by GRACE,
for example,

415

00:17:45,097 --> 00:17:49,135

with the remainder being
from solid ice calving losses.

416

00:17:49,135 --> 00:17:50,269

But the...

417

00:17:50,269 --> 00:17:53,372

these predictions are
very often based on,

418

00:17:53,372 --> 00:17:54,874

regional climate models

419

00:17:54,874 --> 00:17:57,043

and so what our measurements
are attempting to do

420

00:17:57,043 --> 00:17:58,844

is to provide
some of the first,

421

00:17:58,844 --> 00:18:01,047

real-world
"in situ" measurements

422

00:18:01,047 --> 00:18:02,214

of meltwater production

423

00:18:02,214 --> 00:18:04,383

and runoff on the
surface of the ice sheets

424

00:18:04,383 --> 00:18:08,287

to try to verify and validate
these model predictions

425

00:18:08,287 --> 00:18:10,289

of future sea level rise.

426

00:18:10,289 --> 00:18:11,257

>> TOM WAGNER: Hey, now, Vena,

427

00:18:11,257 --> 00:18:12,825

you're one of the purple
that goes out in the field

428

00:18:12,825 --> 00:18:16,495

and does all the
hard work, right?

429

00:18:16,495 --> 00:18:17,797

>> VENA CHU: Yeah, I've
been going there for

430

00:18:17,797 --> 00:18:19,198

about eight years now.
Yeah, a lot of field time.

431

00:18:19,198 --> 00:18:20,733

>> TOM WAGNER: So tell us
about some of the gear

432

00:18:20,733 --> 00:18:22,234

you take into the field

433

00:18:22,234 --> 00:18:26,305

and what kind of measurements
you actually make?

434

00:18:26,305 --> 00:18:28,741

>> VENA CHU: Well the first goal
is just to get the camp set up

435

00:18:28,741 --> 00:18:31,877

so we have multiple tents
and all our camping gear.

436

00:18:31,877 --> 00:18:34,780

But we also have, uh, we
are measuring discharge,

437

00:18:34,780 --> 00:18:37,917

so the actual amount of water
going through these rivers.

438

00:18:37,917 --> 00:18:40,519

So we have a sort of
like boogie board set up

439

00:18:40,519 --> 00:18:42,521

that we take
across the river.

440

00:18:42,521 --> 00:18:44,390

It's a sort of
a Doppler system

441

00:18:44,390 --> 00:18:46,158

that measures how the
fast the water is flowing

442

00:18:46,158 --> 00:18:47,927

and how deep the
water is flowing.

443

00:18:47,927 --> 00:18:50,196

>> TOM WAGNER: Yeah. So what
I can't get over, though,

444

00:18:50,196 --> 00:18:51,731

it seems like you guys
are also talking about,

445

00:18:51,731 --> 00:18:53,933

it seems like there has
been a big increase recently

446

00:18:53,933 --> 00:18:55,501

in the amount of
melting that's gone on

447

00:18:55,501 --> 00:18:57,303
on the surface of Greenland,

448

00:18:57,303 --> 00:18:59,038
and tell us about that,

449

00:18:59,038 --> 00:19:00,473
tell us kind of
what you're seeing?

450

00:19:00,473 --> 00:19:02,508
I know that some of the
rivers flow pretty fast.

451

00:19:02,508 --> 00:19:03,576
>> LARRY SMITH: Sure.

452

00:19:03,576 --> 00:19:05,745
The satellite
record shows that,

453

00:19:05,745 --> 00:19:09,682
while bumpy with warmer years
and cooler years, in general,

454

00:19:09,682 --> 00:19:15,955
the overall trend has been an
increasing extent, intensity,

455

00:19:15,955 --> 00:19:19,592
and duration of the melt season
on the surface of the ice.

456

00:19:19,592 --> 00:19:22,428
But these course
resolution satellites

457

00:19:22,428 --> 00:19:24,330
are often microwave-based,

458

00:19:24,330 --> 00:19:28,901

don't have the,
the granularity

459

00:19:28,901 --> 00:19:31,804

to see the fine-scale structures
and physical processes

460

00:19:31,804 --> 00:19:35,574

that are routing this
water off the ice sheet.

461

00:19:35,574 --> 00:19:37,276

And this is actually
very important

462

00:19:37,276 --> 00:19:39,345

because one of the
key questions is,

463

00:19:39,345 --> 00:19:42,715

how much of that melt on
the surface of the ice,

464

00:19:42,715 --> 00:19:45,818

particularly as it expands
deeper into the interior,

465

00:19:45,818 --> 00:19:49,488

how much of that melt water is
actually escaping the ice sheet

466

00:19:49,488 --> 00:19:51,357

to contribute to
sea level rise?

467

00:19:51,357 --> 00:19:54,927

At the moment, our assumption
is that all of it does.

468

00:19:54,927 --> 00:19:56,996

But in fact, it's
entirely plausible

469

00:19:56,996 --> 00:19:58,164

that perhaps some of it...

470

00:19:58,164 --> 00:20:01,667

some fraction of it,
is retained by the ice sheet,

471

00:20:01,667 --> 00:20:04,904

refrozen at the surface or
stored within the ice sheet.

472

00:20:04,904 --> 00:20:07,306

And that's why these
field measurements

473

00:20:07,306 --> 00:20:09,608

and also higher-resolution
satellite

474

00:20:09,608 --> 00:20:12,511

and airborne technologies such
as Operation IceBridge,

475

00:20:12,511 --> 00:20:15,247

such as the WorldViews, uh,
series of satellites,

476

00:20:15,247 --> 00:20:18,584

these provide additional
finer-scale resolution

477

00:20:18,584 --> 00:20:20,419

to study these processes

478

00:20:20,419 --> 00:20:22,788
and confirm that indeed
this melt on the surface

479
00:20:22,788 --> 00:20:25,124
is escaping the ice sheet
to the global ocean.

480
00:20:25,124 --> 00:20:26,092
>> TOM WAGNER: Thanks!
Hey, Michelle,

481
00:20:26,092 --> 00:20:28,194
I understand we might
have some video?

482
00:20:28,194 --> 00:20:29,829
>> MICHELLE: That's right. We
actually have some video about

483
00:20:29,829 --> 00:20:32,865
what it's like to work in these
pretty extreme conditions.

484
00:20:32,865 --> 00:20:33,999
>> UNIDENTIFIED SPEAKER:
This is the best time!

485
00:20:33,999 --> 00:20:36,469
How lucky are we to come
up here in Greenland!

486
00:20:36,469 --> 00:20:37,536
>> UNIDENTIFIED SPEAKER:
Something about this place,

487
00:20:37,536 --> 00:20:42,808
uh, gets under our skins
and we keep coming back.

488

00:20:42,808 --> 00:20:43,909

>> UNIDENTIFIED SPEAKER:

You can only reach

489

00:20:43,909 --> 00:20:46,479

this area here with helicopter.

490

00:20:46,479 --> 00:20:48,347

>> UNIDENTIFIED SPEAKER: We're camping in the ablation zone.

491

00:20:48,347 --> 00:20:50,382

It's very wet as you can see.

492

00:20:50,382 --> 00:20:52,118

>> UNIDENTIFIED SPEAKER: Water is running everywhere.

493

00:20:52,118 --> 00:20:54,253

It's flowing into these chutes and channels

494

00:20:54,253 --> 00:20:58,023

which are getting bigger and faster with every second.

495

00:20:58,023 --> 00:21:00,559

If someone... goodness help us

496

00:21:00,559 --> 00:21:02,995

were to ever fall into one of these,

497

00:21:02,995 --> 00:21:05,731

uh, there would be no hope.

498

00:21:05,731 --> 00:21:07,233

>> ÅSA RENNERMALM: So we are very careful

499

00:21:07,233 --> 00:21:09,101
with our safety procedures.

500

00:21:09,101 --> 00:21:13,439
The most important here is
that we all come back home.

501

00:21:13,439 --> 00:21:17,443
>> GRACE ANDREWS: It is a
difficult environment to work in

502

00:21:17,443 --> 00:21:19,044
Um, it's cold here.

503

00:21:19,044 --> 00:21:22,815
There are problems
with equipment.

504

00:21:22,815 --> 00:21:24,183
When you have problems
with equipment,

505

00:21:24,183 --> 00:21:27,520
it's hard to get replacements.

506

00:21:27,520 --> 00:21:30,623
It takes weeks to get
things shipped up.

507

00:21:30,623 --> 00:21:32,725
The temperatures,
and in my case,

508

00:21:32,725 --> 00:21:34,260
the sediment load of rivers

509

00:21:34,260 --> 00:21:38,597
makes it hard to actually

do the sample collection.

510

00:21:38,597 --> 00:21:42,835

But it's also the
most inspiring

511

00:21:42,835 --> 00:21:46,305

and thrilling
environment to work in.

512

00:21:46,305 --> 00:21:48,574

Every day I go out
in the fields,

513

00:21:48,574 --> 00:21:52,077

I look around at my environment,

514

00:21:52,077 --> 00:21:55,314

the ice, the river,
how dynamic it is,

515

00:21:55,314 --> 00:21:58,551

and it reminds me
why I'm out there

516

00:21:58,551 --> 00:22:02,888

and I absolutely love it.

517

00:22:02,888 --> 00:22:04,456

>> VENA CHU: One of the
hardest things there is

518

00:22:04,456 --> 00:22:05,958

just working in those
environments.

519

00:22:05,958 --> 00:22:08,427

I mean, the fact is that
we're working in an area

520

00:22:08,427 --> 00:22:10,663

that not many people
work uptil, you know,

521

00:22:10,663 --> 00:22:12,865

in the last five, you know,
six years or so.

522

00:22:12,865 --> 00:22:14,934

People don't really
work on the ice sheet

523

00:22:14,934 --> 00:22:16,802

when it's melting
all around you,

524

00:22:16,802 --> 00:22:18,571

just even camping is hard,

525

00:22:18,571 --> 00:22:21,407

and, you know, we're dealing
with limited helicopter hours.

526

00:22:21,407 --> 00:22:24,410

So if you need more equipment
or something breaks

527

00:22:24,410 --> 00:22:26,779

and, one of the big things
that we dealt with was,

528

00:22:26,779 --> 00:22:28,914

you know, how do we
keep batteries warm

529

00:22:28,914 --> 00:22:31,884

and, uh, how do we set up
camps so that, you know,

530

00:22:31,884 --> 00:22:33,385

rivers aren't
flowing around us

531

00:22:33,385 --> 00:22:36,555

and melting out around our tent.

532

00:22:36,555 --> 00:22:38,224

>> TOM WAGNER: Hey, can
you tell us a little bit

533

00:22:38,224 --> 00:22:40,192

about what happens?

534

00:22:40,192 --> 00:22:41,360

Where does the water go?

535

00:22:41,360 --> 00:22:42,461

We understand a lot
of it doesn't, say,

536

00:22:42,461 --> 00:22:43,996

flow directly off
the ice sheet

537

00:22:43,996 --> 00:22:48,267

but it goes into these
big holes in the ice.

538

00:22:48,267 --> 00:22:50,369

>> VENA CHU: In fact, these
sinkholes are called Moulins.

539

00:22:50,369 --> 00:22:54,073

In fact, what we've seen is
that all the rivers on the ice,

540

00:22:54,073 --> 00:22:56,542

the majority of them actually
go into these sinkholes

541

00:22:56,542 --> 00:22:59,078
rather than forming long rivers
toward the end of the ice.

542

00:22:59,078 --> 00:23:02,948
So we particularly set up these
camps right near these moulins

543

00:23:02,948 --> 00:23:04,216
so we can measure how fast

544

00:23:04,216 --> 00:23:06,318
and how much water
is going into them.

545

00:23:06,318 --> 00:23:07,686
And, like you were
saying before,

546

00:23:07,686 --> 00:23:09,822
the significance
of these moulins

547

00:23:09,822 --> 00:23:10,990
and these sinkholes is that

548

00:23:10,990 --> 00:23:13,993
it takes water into the
bottom of the ice sheet,

549

00:23:13,993 --> 00:23:15,894
and that's where it
can really affect

550

00:23:15,894 --> 00:23:17,997
how fast the ice is flowing.

551

00:23:17,997 --> 00:23:19,665

And, the more
that's melting,

552

00:23:19,665 --> 00:23:22,234
the more water can go inside.

553

00:23:22,234 --> 00:23:23,669
We've seen in some of our,

554

00:23:23,669 --> 00:23:25,971
you know, high-resolution
satellite maps

555

00:23:25,971 --> 00:23:29,108
that there are thousands
of these holes,

556

00:23:29,108 --> 00:23:31,510
thousands of these rivers
draining into the ice sheet,

557

00:23:31,510 --> 00:23:33,445
and at higher
elevations than we have

558

00:23:33,445 --> 00:23:35,014
ever really known
about before.

559

00:23:35,014 --> 00:23:38,217
Just with the availability
of better satellite imagery,

560

00:23:38,217 --> 00:23:40,119
higher resolution
data we're able to

561

00:23:40,119 --> 00:23:43,122
actually see just how many
of these rivers are,

562

00:23:43,122 --> 00:23:45,324

you know, bringing water into
the bottom of the ice sheet

563

00:23:45,324 --> 00:23:48,894

where it can affect the ice
dynamics and the ice flow.

564

00:23:48,894 --> 00:23:50,629

>> TOM WAGNER: Joining us
now is Dr. Sophie Nowicki.

565

00:23:50,629 --> 00:23:53,098

We're going to talk a little
bit about the actual work

566

00:23:53,098 --> 00:23:57,136

that we do to understand what's
going on inside the ice sheets.

567

00:23:57,136 --> 00:23:58,871

And first, we're going to
show you some more video

568

00:23:58,871 --> 00:24:01,707

from NASA's
Operation IceBridge.

569

00:24:01,707 --> 00:24:03,742

Hey, so Sophie, tell us what
we're looking at right now?

570

00:24:03,742 --> 00:24:04,977

>> SOPHIE NOWICKI:
It's a very nice image.

571

00:24:04,977 --> 00:24:06,111

Basically, you're seeing

572

00:24:06,111 --> 00:24:08,480

what is white is ice
flowing into the sea,

573

00:24:08,480 --> 00:24:10,749

those low ponds, they
are kind of darker.

574

00:24:10,749 --> 00:24:12,851

And you can see that ice
has very complex features,

575

00:24:12,851 --> 00:24:14,920

so different types of
river of ice...

576

00:24:14,920 --> 00:24:15,988

I mean, river of water.

577

00:24:15,988 --> 00:24:17,323

So those are very
different to

578

00:24:17,323 --> 00:24:18,657

what Larry was
showing you before.

579

00:24:18,657 --> 00:24:19,825

This is really hard ice.

580

00:24:19,825 --> 00:24:20,926

>> TOM WAGNER:
This is actual ice?

581

00:24:20,926 --> 00:24:22,294

This isn't water
going into the ocean?

582

00:24:22,294 --> 00:24:24,763

This is something that's like
an ice cube out of my fridge.

583

00:24:24,763 --> 00:24:26,332
>> SOPHIE NOWICKI: Yes, and
then, you know, ice cube.

584

00:24:26,332 --> 00:24:28,734
So what you can map
now with this...

585

00:24:28,734 --> 00:24:31,170
with our measurement
tools' capabilities,

586

00:24:31,170 --> 00:24:33,038
it's the actual
height of the ice.

587

00:24:33,038 --> 00:24:39,078
And as time goes, you see
this is a height of the surface

588

00:24:39,078 --> 00:24:40,245
-- >> TOM WAGNER:
Okay. So over here,

589

00:24:40,245 --> 00:24:41,914
we've got our vertical axis.

590

00:24:41,914 --> 00:24:44,350
That's meters, that's
height of the ice.

591

00:24:44,350 --> 00:24:46,719
And then what, this is along
the flight line itself?

592

00:24:46,719 --> 00:24:47,853
>> SOPHIE NOWICKI: Exactly.

593

00:24:47,853 --> 00:24:49,521

>> TOM WAGNER: Okay. And this is the height of the ice

594

00:24:49,521 --> 00:24:51,657

going down and that's the water out there?

595

00:24:51,657 --> 00:24:52,691

>> SOPHIE NOWICKI: Yes.

596

00:24:52,691 --> 00:24:54,093

And so you can see there's a big drop.

597

00:24:54,093 --> 00:24:55,594

That's where the ice meets the ocean.

598

00:24:55,594 --> 00:24:58,097

And this drop, the second, is going backwards,

599

00:24:58,097 --> 00:24:59,498

at the moment, in time.

600

00:24:59,498 --> 00:25:00,532

>> TOM WAGNER: Whoa!

601

00:25:00,532 --> 00:25:01,800

So that's the ice front backtracking?

602

00:25:01,800 --> 00:25:03,035

>> MICHELLE: It's going all the way back.

603

00:25:03,035 --> 00:25:04,770

>> SOPHIE NOWICKI: Yeah. Like a five-kilometers jump in time.

604

00:25:04,770 --> 00:25:06,238

So it's a very... even though, you know,

605

00:25:06,238 --> 00:25:07,906

you think about ice as an ice cube,

606

00:25:07,906 --> 00:25:09,174

it's actually very dynamic.

607

00:25:09,174 --> 00:25:10,909

It kind of moves back and forth.

608

00:25:10,909 --> 00:25:12,111

>> TOM WAGNER: So -- >>

609

00:25:12,111 --> 00:25:13,112

MICHELLE: Let's talk a little bit about

610

00:25:13,112 --> 00:25:14,980

how this data was taken because this is very dramatic, right?

611

00:25:14,980 --> 00:25:16,815

>> MICHELLE: Operation IceBridge is an aircraft -

612

00:25:16,815 --> 00:25:18,017

>> SOPHIE NOWICKI: Yes.

613

00:25:18,017 --> 00:25:19,818

>> MICHELLE: and the aircraft actually flies over Greenland

614

00:25:19,818 --> 00:25:22,921
and Antarctica and it
bounces lasers off the ice.

615

00:25:22,921 --> 00:25:24,089
>> SOPHIE NOWICKI: It does.

616

00:25:24,089 --> 00:25:26,225
One of the measurements that
they do is that they measure,

617

00:25:26,225 --> 00:25:27,926
they bounce lasers
off the surface

618

00:25:27,926 --> 00:25:30,429
and it goes back, but they also
kind of have other measurements,

619

00:25:30,429 --> 00:25:31,597
which hopefully we'll see later,

620

00:25:31,597 --> 00:25:32,998
that goes through the ice.

621

00:25:32,998 --> 00:25:35,100
And what's amazing is
that Greenland is so big.

622

00:25:35,100 --> 00:25:38,537
It's about, uh, I mean, a
quarter of the size of the US,

623

00:25:38,537 --> 00:25:42,608
but they flow... NASA has flown
over quite a big portion

624

00:25:42,608 --> 00:25:45,144
of that over the

last few years.

625

00:25:45,144 --> 00:25:47,813

>> ROBERT HALLBERG: So
the research, project

626

00:25:47,813 --> 00:25:51,216

that I was involved in this
past week is looking at

627

00:25:51,216 --> 00:25:54,720

the calving of
tidewater glaciers.

628

00:25:54,720 --> 00:25:57,556

So there are fjords
here in Greenland

629

00:25:57,556 --> 00:26:00,726

where the water comes right up
to the face of the glacier.

630

00:26:00,726 --> 00:26:04,730

The water down below
is warm and salty.

631

00:26:04,730 --> 00:26:07,933

There is meltwater that
comes shooting out

632

00:26:07,933 --> 00:26:10,803

through large gaps of
the base of the -

633

00:26:10,803 --> 00:26:13,505

of the ice sheets
right into the water,

634

00:26:13,505 --> 00:26:16,041

and that creates these

turbulent plumes of water

635

00:26:16,041 --> 00:26:18,744

that draw yet more
warm water in.

636

00:26:18,744 --> 00:26:21,180

And it's this interaction
between the ice

637

00:26:21,180 --> 00:26:23,849

and the ocean that may
help to regulate,

638

00:26:23,849 --> 00:26:26,785

how quickly sea
level will rise.

639

00:26:26,785 --> 00:26:29,588

Our climate models,
because they are global

640

00:26:29,588 --> 00:26:31,356

and have to run
for centuries,

641

00:26:31,356 --> 00:26:32,724

we can only resolve down

642

00:26:32,724 --> 00:26:34,626

to scales of order
a few kilometers.

643

00:26:34,626 --> 00:26:37,296

And yet all the action of
that ice shelf front

644

00:26:37,296 --> 00:26:39,998

is happening on scales of
just a few hundred meters.

645

00:26:39,998 --> 00:26:44,169

It's fun and exciting and
it's stunning to watch.

646

00:26:44,169 --> 00:26:46,105

But one of our challenges
is figuring out

647

00:26:46,105 --> 00:26:49,641

how to incorporate all
that... all that action

648

00:26:49,641 --> 00:26:51,477

that's happening
in small scales

649

00:26:51,477 --> 00:26:55,113

and put it into
global-scale models.

650

00:26:55,113 --> 00:26:56,648

It's absolutely essential.

651

00:26:56,648 --> 00:27:00,185

It's the processes that
are going on in the fjords

652

00:27:00,185 --> 00:27:02,554

and up on the ice
cap that control

653

00:27:02,554 --> 00:27:06,124

how the system is
going to evolve.

654

00:27:06,124 --> 00:27:09,061

We know that sea
level is rising,

655

00:27:09,061 --> 00:27:11,763

we know that the ice
sheets are losing mass,

656

00:27:11,763 --> 00:27:13,699

but we need to understand why,

657

00:27:13,699 --> 00:27:16,201

because it's only that
why that helps us

658

00:27:16,201 --> 00:27:19,404

to project how things will
change in the future.

659

00:27:19,404 --> 00:27:20,806

>> MICHELLE: And it turns
out that that "why"

660

00:27:20,806 --> 00:27:23,275

is actually kind of a
complicated question to answer

661

00:27:23,275 --> 00:27:26,378

because we cannot see directly
inside the ice sheets.

662

00:27:26,378 --> 00:27:27,579

>> TOM WAGNER: Yeah.
And one of the things

663

00:27:27,579 --> 00:27:28,580

that's always impressed me is,

664

00:27:28,580 --> 00:27:30,449

when you look at the modeling
results that came out

665

00:27:30,449 --> 00:27:32,251

of like the IPCC report,

666

00:27:32,251 --> 00:27:35,053

our best projections for
future sea level rise;

667

00:27:35,053 --> 00:27:37,990

Sophie, you were involved with
that, there is a huge spread.

668

00:27:37,990 --> 00:27:39,825

You know, like we talk
about sea level rise

669

00:27:39,825 --> 00:27:41,760

in the next hundred
years being,

670

00:27:41,760 --> 00:27:45,163

"Is it a foot, is it five
feet, could it be more?"

671

00:27:45,163 --> 00:27:47,566

Tell us about how
those models are made?

672

00:27:47,566 --> 00:27:49,935

Like what goes into
them, as a start?

673

00:27:49,935 --> 00:27:51,803

>> SOPHIE NOWICKI: So you
have to think of a model

674

00:27:51,803 --> 00:27:54,239

as a virtual laboratory
that I'm building.

675

00:27:54,239 --> 00:27:55,974

I'm putting all of the things

that I think that matters,

676

00:27:55,974 --> 00:27:57,809

so the snowfall, the bedrock,

677

00:27:57,809 --> 00:27:59,511

how the bedrock reacts.

678

00:27:59,511 --> 00:28:02,080

And then basically, we

let it mix together

679

00:28:02,080 --> 00:28:03,949

and kind of explore

and understand,

680

00:28:03,949 --> 00:28:05,817

the way that if

I poke my system,

681

00:28:05,817 --> 00:28:07,185

what's going to happen.

682

00:28:07,185 --> 00:28:08,620

So if you look at

my projection,

683

00:28:08,620 --> 00:28:09,922

there is a spread

684

00:28:09,922 --> 00:28:11,990

because we try lots

of different scenarios.

685

00:28:11,990 --> 00:28:13,458

Maybe you want to

kind of see,

686

00:28:13,458 --> 00:28:15,360

you know, is the snowfall

687

00:28:15,360 --> 00:28:17,095
going to matter more
in a hundred years,

688

00:28:17,095 --> 00:28:18,597
is it going to
be the ocean?

689

00:28:18,597 --> 00:28:20,632
And as then just showed
in the video before,

690

00:28:20,632 --> 00:28:23,001
I mean, ice-ocean interaction
is quite complex.

691

00:28:23,001 --> 00:28:24,303
>> TOM WAGNER: Right, but
take us back to the basics

692

00:28:24,303 --> 00:28:25,604
just for a second, right?

693

00:28:25,604 --> 00:28:27,673
So you talked about we've
got this numerical model

694

00:28:27,673 --> 00:28:30,242
that describes how the
ice flows into the ocean.

695

00:28:30,242 --> 00:28:31,443
It also takes... you've got

696

00:28:31,443 --> 00:28:33,478
how much snow falls on
the surface of the ice.

697

00:28:33,478 --> 00:28:36,448

But then you mentioned something
like the bedrock underneath,

698

00:28:36,448 --> 00:28:39,384

how does the bedrock
affect an ice sheet model

699

00:28:39,384 --> 00:28:41,086

that's useful
for sea level rise?

700

00:28:41,086 --> 00:28:42,588

>> SOPHIE NOWICKI: So
that's a good question

701

00:28:42,588 --> 00:28:44,256

because before
Operation IceBridge

702

00:28:44,256 --> 00:28:45,891

we had no idea what the
bedrock looked like.

703

00:28:45,891 --> 00:28:47,426

And that's one of
the good...for me,

704

00:28:47,426 --> 00:28:48,827

one of the most beautiful return

705

00:28:48,827 --> 00:28:51,563

about Operation IceBridge
is the shape of the bed.

706

00:28:51,563 --> 00:28:55,367

It matters because it
tells me how big my ice is,

707

00:28:55,367 --> 00:28:57,436
volume of the ice that's
available to flow.

708
00:28:57,436 --> 00:28:58,837
So it's one thing, how
much ice do I have?

709
00:28:58,837 --> 00:29:00,238
>> TOM WAGNER: How
much ice is there? Okay.

710
00:29:00,238 --> 00:29:01,607
>> SOPHIE NOWICKI: But
also, it also matters

711
00:29:01,607 --> 00:29:03,909
because, you know, imagine
you are skiing downhill.

712
00:29:03,909 --> 00:29:06,244
You go quite fast because
it's going downhill.

713
00:29:06,244 --> 00:29:07,479
So the ice is
the same thing.

714
00:29:07,479 --> 00:29:10,949
I'm going to go fly... I
just go down quite fast.

715
00:29:10,949 --> 00:29:13,685
But if I have to fly... if
I have to slide down

716
00:29:13,685 --> 00:29:14,820
if you have skii uphill,

717
00:29:14,820 --> 00:29:16,622

you have to really do
lots of hard work.

718
00:29:16,622 --> 00:29:18,023
It's the same
thing for the ice.

719
00:29:18,023 --> 00:29:20,125
If the bedrock changes and
they have to go uphill,

720
00:29:20,125 --> 00:29:21,326
it's hard work for me.

721
00:29:21,326 --> 00:29:22,427
>> TOM WAGNER: Okay.

722
00:29:22,427 --> 00:29:23,595
>> MICHELLE: And this
must affect the way

723
00:29:23,595 --> 00:29:24,730
that the ice is melting.

724
00:29:24,730 --> 00:29:26,698
I mean, if there is this
terrain underneath Greenland

725
00:29:26,698 --> 00:29:28,033
that we're only
just aware of,

726
00:29:28,033 --> 00:29:30,335
I mean, we discovered
this giant canyon system

727
00:29:30,335 --> 00:29:32,204
from the data from
Operation IceBridge.

728

00:29:32,204 --> 00:29:33,405

>> SOPHIE NOWICKI:

That is correct.

729

00:29:33,405 --> 00:29:34,706

And then also those

canyons that are basically

730

00:29:34,706 --> 00:29:36,108

the size of the

Grand Canyons,

731

00:29:36,108 --> 00:29:39,211

this is also where some of the

water that Larry showed you

732

00:29:39,211 --> 00:29:41,113

going into the surface

is going to be trapped

733

00:29:41,113 --> 00:29:43,982

and locked down and those are

going to affect my ice flow.

734

00:29:43,982 --> 00:29:46,218

>> TOM WAGNER: So - okay,

but one of the other things too

735

00:29:46,218 --> 00:29:47,185

with the bedrock.

736

00:29:47,185 --> 00:29:49,454

So we've been talking

recently about Antarctica

737

00:29:49,454 --> 00:29:51,623

and last year we had those

papers come out where we said,

738

00:29:51,623 --> 00:29:54,226

"Oh my gosh, part of
Antarctica is unstable now

739

00:29:54,226 --> 00:29:56,428

and the sea level is
going to rise rapidly!"

740

00:29:56,428 --> 00:29:57,963

Tell us about what they found

741

00:29:57,963 --> 00:29:59,731

and how that affects models.

742

00:29:59,731 --> 00:30:02,000

>> SOPHIE NOWICKI: So
there basically...

743

00:30:02,000 --> 00:30:04,469

as Operation IceBridge
was flying over,

744

00:30:04,469 --> 00:30:05,437

they managed to kind of

745

00:30:05,437 --> 00:30:07,039

so there is a big
bump in the bed,

746

00:30:07,039 --> 00:30:08,740

and those bumps in
the bed means that

747

00:30:08,740 --> 00:30:12,844

when the ice is changing
due to a warmer ocean,

748

00:30:12,844 --> 00:30:14,946

when the ice is
retreating back

749

00:30:14,946 --> 00:30:17,516

into the interior
of the ice sheet,

750

00:30:17,516 --> 00:30:18,717

if I have a little low bump

751

00:30:18,717 --> 00:30:20,419

then I can basically
anchor myself.

752

00:30:20,419 --> 00:30:21,787

And that's basically
why knowing

753

00:30:21,787 --> 00:30:23,355

the bed is so important because,

754

00:30:23,355 --> 00:30:24,656

"Do I have a place
to anchor myself

755

00:30:24,656 --> 00:30:27,559

as I'm collapsing or do I
don't have a bump in the bed

756

00:30:27,559 --> 00:30:29,194

and therefore
I keep on going?"

757

00:30:29,194 --> 00:30:30,629

>> TOM WAGNER: Uh-huh,
so literally the...

758

00:30:30,629 --> 00:30:33,165

when you retreat past
the anchor point,

759

00:30:33,165 --> 00:30:36,501

the ice pops up and it can
begin almost to float.

760

00:30:36,501 --> 00:30:37,669

>> SOPHIE NOWICKI: It
can begin to float,

761

00:30:37,669 --> 00:30:38,637

and then it's just like,

762

00:30:38,637 --> 00:30:39,705

you know, when you are

763

00:30:39,705 --> 00:30:40,806

-- >> TOM WAGNER: And we're
talking about hundreds,

764

00:30:40,806 --> 00:30:42,974

ice that's how thick,
hundreds of feet?

765

00:30:42,974 --> 00:30:45,010

>> SOPHIE NOWICKI: Yes,
it is hundreds of feet.

766

00:30:45,010 --> 00:30:48,513

So it's quite
impressive, actually,

767

00:30:48,513 --> 00:30:50,382

how dynamic the ice can be.

768

00:30:50,382 --> 00:30:51,717

>> TOM WAGNER: Okay, so
getting back to it, right?

769

00:30:51,717 --> 00:30:53,985

You know, people, I think
one of the problems

770

00:30:53,985 --> 00:30:55,654

that people get
into is they say,

771

00:30:55,654 --> 00:31:00,559

okay, scientists, give
us a projection.

772

00:31:00,559 --> 00:31:02,127

You know somebody wants
to put a power plant

773

00:31:02,127 --> 00:31:03,662

and they want to know
how high sea level

774

00:31:03,662 --> 00:31:05,397

is going to rise in
a hundred years.

775

00:31:05,397 --> 00:31:06,465

Right?

776

00:31:06,465 --> 00:31:07,866

We give them a spread
that goes, you know,

777

00:31:07,866 --> 00:31:08,867

in a hundred years from now,

778

00:31:08,867 --> 00:31:10,235

it's anywhere from
one to five feet,

779

00:31:10,235 --> 00:31:13,905

how does a result like that
affect the projections?

780

00:31:13,905 --> 00:31:16,374

>> SOPHIE NOWICKI:

Yeah. It's hard

781

00:31:16,374 --> 00:31:18,009

because when
you have those...

782

00:31:18,009 --> 00:31:21,947

those projections of
like... of the wide range,

783

00:31:21,947 --> 00:31:23,915

it's because it's
due to the fact

784

00:31:23,915 --> 00:31:25,450

that we're using
different models,

785

00:31:25,450 --> 00:31:27,452

we're using
different data sets.

786

00:31:27,452 --> 00:31:29,387

Sometimes, you know, I
maybe using in my bed

787

00:31:29,387 --> 00:31:31,423

and then somebody else is
using in a different bed,

788

00:31:31,423 --> 00:31:34,392

and so those all come to play
in a way that we couldn't know,

789

00:31:34,392 --> 00:31:38,263

and that's why the spread is
actually at the moment,

790

00:31:38,263 --> 00:31:40,766

you know, is good
because we'll...

791

00:31:40,766 --> 00:31:43,335

we have something to try to
work forward to refining,

792

00:31:43,335 --> 00:31:45,270

and NASA is doing a
huge amount of work

793

00:31:45,270 --> 00:31:46,571

to refining this spread,

794

00:31:46,571 --> 00:31:48,140

because of course I'm
not happy to tell you,

795

00:31:48,140 --> 00:31:51,309

I don't know if it's going
to be one foot or five feet.

796

00:31:51,309 --> 00:31:52,778

I would rather be able to
tell you it's going to be

797

00:31:52,778 --> 00:31:54,813

two and-a-half
foot for planning.

798

00:31:54,813 --> 00:31:56,014

>> SOPHIE NOWICKI:
But at the moment,

799

00:31:56,014 --> 00:31:56,982

this is just the way it is,

800

00:31:56,982 --> 00:31:58,416

the future is

real uncertain

801

00:31:58,416 --> 00:32:00,051

and that's what
we're dealing with.

802

00:32:00,051 --> 00:32:01,553

>> TOM WAGNER: Hey, guys!

803

00:32:01,553 --> 00:32:03,588

>> JOSH WILLIS: Well,
yeah, the...

804

00:32:03,588 --> 00:32:07,559

the oceans are definitely
eating away at the,

805

00:32:07,559 --> 00:32:09,628

Greenland ice
sheet from the edges.

806

00:32:09,628 --> 00:32:12,063

We've known pretty
well, for a long time

807

00:32:12,063 --> 00:32:13,465

that the surface
is melting.

808

00:32:13,465 --> 00:32:15,333

We can see that
melt from space.

809

00:32:15,333 --> 00:32:19,104

And, you know,
Larry and Vena

810

00:32:19,104 --> 00:32:20,472

were out on the ice

811

00:32:20,472 --> 00:32:25,243

watching the rivers of meltwater
dive down into these moulins,

812

00:32:25,243 --> 00:32:27,245

but, more recently,

813

00:32:27,245 --> 00:32:29,748

research has started
to suggest that,

814

00:32:29,748 --> 00:32:32,784

the ice is actually being
eaten away at the edges.

815

00:32:32,784 --> 00:32:36,388

Remember, a lot of these
glaciers which carry the ice

816

00:32:36,388 --> 00:32:39,391

away from the ice sheet
and into the oceans

817

00:32:39,391 --> 00:32:41,760

actually sit right
in the oceans.

818

00:32:41,760 --> 00:32:44,062

They literally have
a toe in the water,

819

00:32:44,062 --> 00:32:46,798

and that makes them
susceptible to warming

820

00:32:46,798 --> 00:32:48,700

and the intrusion
of warm water

821

00:32:48,700 --> 00:32:52,070
from the edges which can melt
away at the glaciers.

822
00:32:52,070 --> 00:32:53,104
>> TOM WAGNER: Tell us
a little bit about

823
00:32:53,104 --> 00:32:54,906
like the continental
shelf around Greenland,

824
00:32:54,906 --> 00:32:56,508
its relationship to the ocean,

825
00:32:56,508 --> 00:32:59,044
and that's relationship
to the ice?

826
00:32:59,044 --> 00:33:00,479
>> JOSH WILLIS: Well,
what's really interesting,

827
00:33:00,479 --> 00:33:02,814
Tom, is that the water
around Greenland

828
00:33:02,814 --> 00:33:04,816
is sort of upside-down.

829
00:33:04,816 --> 00:33:06,785
You have warm water

830
00:33:06,785 --> 00:33:08,954
underneath a layer
of cold water.

831
00:33:08,954 --> 00:33:10,755
Normally, it's the
other way around, right?

832

00:33:10,755 --> 00:33:11,990

Warm water rises.

833

00:33:11,990 --> 00:33:14,960

But the waters around
Greenland are actually,

834

00:33:14,960 --> 00:33:16,461

what we call "inverted,"

835

00:33:16,461 --> 00:33:18,663

meaning that the warm
water is actually at depth

836

00:33:18,663 --> 00:33:21,166

and it's at depth because
it's extra salty.

837

00:33:21,166 --> 00:33:22,901

This water comes
from the Atlantic,

838

00:33:22,901 --> 00:33:24,536

it's a very salty ocean.

839

00:33:24,536 --> 00:33:26,771

The cold water comes
from the arctic

840

00:33:26,771 --> 00:33:29,174

and it's very fresh
so it sits on top.

841

00:33:29,174 --> 00:33:32,444

What this means is that the
warm water has to climb up

842

00:33:32,444 --> 00:33:35,313

the continental shelf and
reach into the fjords

843

00:33:35,313 --> 00:33:37,616

in order to interact
with the glaciers.

844

00:33:37,616 --> 00:33:40,118

So one of the things we're
really interested in is

845

00:33:40,118 --> 00:33:42,621

just how that water
might get there,

846

00:33:42,621 --> 00:33:46,224

what pathways it might take
along the continental shelf.

847

00:33:47,325 --> 00:33:48,460

>> TOM WAGNER: We're
showing some video now

848

00:33:48,460 --> 00:33:49,961

of what it's like
to do that work.

849

00:33:49,961 --> 00:33:51,830

Can you tell us about the
oceanographic measurements

850

00:33:51,830 --> 00:33:56,668

that you actually make and
what goes into making them?

851

00:33:56,668 --> 00:33:58,103

>> JOSH WILLIS:
Yeah, absolutely.

852

00:33:58,103 --> 00:33:59,671

So this is really
exciting because,

853

00:33:59,671 --> 00:34:03,208

this ship has
sailed into a fjord,

854

00:34:03,208 --> 00:34:06,845

which is a long trench
carved by an ancient glacier

855

00:34:06,845 --> 00:34:09,314

and is now filled with
water and they're,

856

00:34:09,314 --> 00:34:10,782

deploying instruments.

857

00:34:10,782 --> 00:34:12,651

Some of them are
called 'moorings'

858

00:34:12,651 --> 00:34:15,554

which sit on the bottom and
collect data for a long time,

859

00:34:15,554 --> 00:34:18,490

and some of them
are CTDs which,

860

00:34:18,490 --> 00:34:20,625

are one-time
measurements of the ocean.

861

00:34:20,625 --> 00:34:22,961

You can see the ship
pushing away icebergs

862

00:34:22,961 --> 00:34:25,263

and folks paddling

through the slush.

863

00:34:25,263 --> 00:34:28,300

It's really hard work,
and it's really difficult.

864

00:34:28,300 --> 00:34:30,168

And, right now, we
actually have a ship,

865

00:34:30,168 --> 00:34:32,237

the 'Cape Race' which
you see right there

866

00:34:32,237 --> 00:34:33,972

at the end of the video,

867

00:34:33,972 --> 00:34:36,041

which is collecting
data about the shape

868

00:34:36,041 --> 00:34:37,943

and depth of these fjords,

869

00:34:37,943 --> 00:34:39,344

because that's really important

870

00:34:39,344 --> 00:34:40,812

for understanding how
that warm water

871

00:34:40,812 --> 00:34:43,982

can climb up onto the shelf
and reach the glaciers.

872

00:34:43,982 --> 00:34:45,650

>> TOM WAGNER: So
tell me a little bit.

873

00:34:45,650 --> 00:34:48,853
You go out and you make a few
point measurements, right?

874
00:34:48,853 --> 00:34:51,122
How do you synthesize
all this stuff together?

875
00:34:51,122 --> 00:34:52,424
You know to get
at those big...

876
00:34:52,424 --> 00:34:54,359
like, what are the big
picture-specific questions

877
00:34:54,359 --> 00:34:55,660
you're trying to answer

878
00:34:55,660 --> 00:34:58,129
and how do you put
all those things together?

879
00:34:58,129 --> 00:35:00,665
>> JOSH WILLIS: Well, most
people would tell you a model,

880
00:35:00,665 --> 00:35:02,100
but I'm going to
say, you just need

881
00:35:02,100 --> 00:35:04,102
a whole lot of those
point measurements.

882
00:35:04,102 --> 00:35:07,138
In fact,
we've only just begun

883
00:35:07,138 --> 00:35:09,441

to study the oceans
around Greenland.

884

00:35:09,441 --> 00:35:10,642

While there have
been a lot of,

885

00:35:10,642 --> 00:35:12,577

moorings placed

886

00:35:12,577 --> 00:35:15,747

and some surveys that have
happened for a long time

887

00:35:15,747 --> 00:35:19,451

in a very few places, the
vast majority of the area

888

00:35:19,451 --> 00:35:21,853

around the island of
Greenland is just unmeasured

889

00:35:21,853 --> 00:35:23,355

in terms of the ocean,

890

00:35:23,355 --> 00:35:25,256

not just how salty

891

00:35:25,256 --> 00:35:27,692

or how fresh or warm
or cold the water might be,

892

00:35:27,692 --> 00:35:29,327

but even how deep it is.

893

00:35:29,327 --> 00:35:31,763

In fact, there are huge
areas around Greenland

894

00:35:31,763 --> 00:35:34,666
where we have no depth
measurements so we don't know

895
00:35:34,666 --> 00:35:37,569
if there are deep
trenches, or sills

896
00:35:37,569 --> 00:35:40,071
that this warm water
might have to climb over.

897
00:35:40,071 --> 00:35:43,241
So we have a whole lot of
observations to make, and,

898
00:35:43,241 --> 00:35:45,944
right now we've
actually begun making

899
00:35:45,944 --> 00:35:48,747
those kinds of observations
with the new mission,

900
00:35:48,747 --> 00:35:51,883
'Oceans Melting
Greenland' or 'OMG'.

901
00:35:51,883 --> 00:35:53,151
>> TOM WAGNER: Yeah, and I
think we're going to share

902
00:35:53,151 --> 00:35:56,488
some video now of how the
aircraft work for OMG.

903
00:35:56,488 --> 00:35:58,023
>> MICHELLE: So describe
this mission here.

904

00:35:58,023 --> 00:36:00,025

>> JOSH WILLIS: Yeah. So
what you're seeing here

905

00:36:00,025 --> 00:36:02,327

is, an aircraft

906

00:36:02,327 --> 00:36:05,163

measurement of the
height of the ice.

907

00:36:05,163 --> 00:36:07,499

And, this is a measurement
that will fly once a year.

908

00:36:07,499 --> 00:36:09,968

We're also measuring
ocean temperatures

909

00:36:09,968 --> 00:36:12,470

using deployable instruments.

910

00:36:12,470 --> 00:36:15,373

So these are being dropped out
of back of the aircraft

911

00:36:15,373 --> 00:36:17,542

They fall through
the water and measure

912

00:36:17,542 --> 00:36:19,044

temperature and salinity,

913

00:36:19,044 --> 00:36:21,579

and then a small float
actually radios that data

914

00:36:21,579 --> 00:36:23,048

back to the airplane.

915

00:36:23,048 --> 00:36:27,285

So those two campaigns
will happen every year

916

00:36:27,285 --> 00:36:28,753

for about five years,

917

00:36:28,753 --> 00:36:31,222

but we also have,
ship-based measurements

918

00:36:31,222 --> 00:36:33,024

of the sea floor depth,

919

00:36:33,024 --> 00:36:36,227

and finally, an airplane
that measures gravity.

920

00:36:36,227 --> 00:36:38,029

And the gravity
measurements are important

921

00:36:38,029 --> 00:36:40,765

because that also
tells you about

922

00:36:40,765 --> 00:36:42,734

the depth of the sea floor.

923

00:36:42,734 --> 00:36:44,269

Whenever you fly
over a trench,

924

00:36:44,269 --> 00:36:46,271

the pull of gravity is
a little bit weaker,

925

00:36:46,271 --> 00:36:49,074

and that's how we can

infer on a lot of places

926

00:36:49,074 --> 00:36:50,508

where we can't drive the ship,

927

00:36:50,508 --> 00:36:52,577

we can infer how
deep the water is.

928

00:36:52,577 --> 00:36:56,081

So all four of these
campaigns are part of the

929

00:36:56,081 --> 00:37:00,418

Oceans Melting Greenland
Mission which started this year.

930

00:37:00,418 --> 00:37:02,253

We have the ship in
the water right now,

931

00:37:02,253 --> 00:37:07,325

and next year we'll be
starting to fly the airplanes.

932

00:37:07,325 --> 00:37:09,027

>> MICHELLE: The really
dramatic interface of the land

933

00:37:09,027 --> 00:37:10,495

and the ocean and ice.

934

00:37:10,495 --> 00:37:11,963

Can you tell us, is there
anything special about

935

00:37:11,963 --> 00:37:14,499

the geography of a fjord
that affects how the ice

936

00:37:14,499 --> 00:37:16,167

and the ocean work together?

937

00:37:16,167 --> 00:37:18,403

>> JOSH WILLIS: Uh the glaciers
dug out these trenches,

938

00:37:18,403 --> 00:37:21,039

uh, on their
path off the land

939

00:37:21,039 --> 00:37:22,507

and towards the ocean

940

00:37:22,507 --> 00:37:26,745

and left behind avenues
for this warm water

941

00:37:26,745 --> 00:37:30,682

to sneak up from the deep and
interact with the glaciers.

942

00:37:30,682 --> 00:37:34,786

So a lot of what scientists
are focusing on today

943

00:37:34,786 --> 00:37:39,791

is this interface where
water meets ice meets land

944

00:37:39,791 --> 00:37:42,794

because that's where
the real action

945

00:37:42,794 --> 00:37:46,064

is in terms of the
ocean-ice interaction.

946

00:37:46,064 --> 00:37:49,100

As we heard about before,
a lot of the meltwater

947

00:37:49,100 --> 00:37:52,937
from the surface actually
digs down through the ice sheet

948

00:37:52,937 --> 00:37:56,141
and it finds its way
to the ocean actually

949

00:37:56,141 --> 00:37:58,209
at the bottom of the ice.

950

00:37:58,209 --> 00:38:00,145
So it often, you know,
in some cases,

951

00:38:00,145 --> 00:38:02,580
it comes out right at
the bottom of the ice,

952

00:38:02,580 --> 00:38:04,482
and then because it's light

953

00:38:04,482 --> 00:38:06,351
and fresh, it surfaces.

954

00:38:06,351 --> 00:38:08,386
That can pull warm water

955

00:38:08,386 --> 00:38:10,188
in towards the
bottom of the glacier

956

00:38:10,188 --> 00:38:11,823
and increase melting,
and that's what

957

00:38:11,823 --> 00:38:14,359
we're really looking
for with OMG.

958
00:38:15,627 --> 00:38:17,162
>> MICHELLE: So here we
see these little arrows

959
00:38:17,162 --> 00:38:18,763
are indicating the
flow of the ice?

960
00:38:18,763 --> 00:38:20,131
>> TOM WAGNER: Right.
So here we are,

961
00:38:20,131 --> 00:38:21,499
the blue is the ocean.

962
00:38:21,499 --> 00:38:22,734
Those blue lines are showing

963
00:38:22,734 --> 00:38:24,502
the direction of
flow of the ice.

964
00:38:24,502 --> 00:38:26,804
And you can see what happens is
it's kind of slow on the sides,

965
00:38:26,804 --> 00:38:28,806
then it gets into this
racetrack in the middle

966
00:38:28,806 --> 00:38:31,643
and it goes out to the ocean.

967
00:38:31,643 --> 00:38:34,045
And what's so fascinating
about this is that

968

00:38:34,045 --> 00:38:35,947

you can see kind of
right at the front.

969

00:38:35,947 --> 00:38:37,682

This stuff is like...

some people call it

970

00:38:37,682 --> 00:38:39,884

like the cork in the
bottle, you know.

971

00:38:39,884 --> 00:38:42,020

And that... these
processes that Josh's team

972

00:38:42,020 --> 00:38:43,721

is studying under OMG,

973

00:38:43,721 --> 00:38:47,225

they are looking at
how the ocean causes

974

00:38:47,225 --> 00:38:49,861

the ice to fracture
more easily,

975

00:38:49,861 --> 00:38:51,930

pull that cork out
of the bottle,

976

00:38:51,930 --> 00:38:54,032

and let the ice
behind it speed up

977

00:38:54,032 --> 00:38:55,967

and really dump in the ocean.

978

00:38:55,967 --> 00:38:57,101

>> MICHELLE: We're really seeing just how many

979

00:38:57,101 --> 00:38:59,070

complex interactions are going on here that

980

00:38:59,070 --> 00:39:00,738

as the air warms that affects the ice,

981

00:39:00,738 --> 00:39:03,074

as the ocean warms that affects the ice as well.

982

00:39:03,074 --> 00:39:04,509

So this is one of the reasons we see

983

00:39:04,509 --> 00:39:06,644

such dramatic changes at the poles.

984

00:39:06,644 --> 00:39:07,679

>> TOM WAGNER: Yeah, and it's one of the reasons

985

00:39:07,679 --> 00:39:09,247

that NASA gets involved in this work.

986

00:39:09,247 --> 00:39:11,115

You know you need a lot of new technology to do this.

987

00:39:11,115 --> 00:39:13,251

A lot of its remote sensing which means

988

00:39:13,251 --> 00:39:15,086
we make measurements
from far away,

989
00:39:15,086 --> 00:39:16,521
from aircraft or satellites.

990
00:39:16,521 --> 00:39:18,556
But then we kind of take
the view of trying to model it

991
00:39:18,556 --> 00:39:20,725
and pull all those
little pieces together.

992
00:39:20,725 --> 00:39:23,294
This is a Jakobshavn
glacier from Greenland,

993
00:39:23,294 --> 00:39:24,996
one of the most important
outlet glaciers,

994
00:39:24,996 --> 00:39:27,098
it drains quite a
bit of Greenland.

995
00:39:27,098 --> 00:39:31,603
This is where the front of
the glacier was in 1850.

996
00:39:31,603 --> 00:39:34,005
This is where the
glacier was today.

997
00:39:34,005 --> 00:39:35,974
And this is actually,
really recent stuff

998
00:39:35,974 --> 00:39:38,109

from just a few days ago, that
shows you just how much

999

00:39:38,109 --> 00:39:40,612

ice can be lost over the
course of a couple of days.

1000

00:39:42,247 --> 00:39:43,548

>> MICHELLE: So we're
actually seeing ice

1001

00:39:43,548 --> 00:39:44,582

cracking up right there,

1002

00:39:44,582 --> 00:39:45,917

that's the change that
we're looking for?

1003

00:39:45,917 --> 00:39:47,085

>> TOM WAGNER: Right.

>> MICHELLE: Yeah.

1004

00:39:47,085 --> 00:39:48,152

>> TOM WAGNER: But I mean
-- and you are talking,

1005

00:39:48,152 --> 00:39:49,254

again, this is like

1006

00:39:49,254 --> 00:39:51,189

kilometer-scale type
events, you know.

1007

00:39:51,189 --> 00:39:52,390

>> TOM WAGNER: This ice,
actually here is

1008

00:39:52,390 --> 00:39:53,625

some footage of
the thing itself.

1009

00:39:53,625 --> 00:39:55,660

So there are some great pictures of these,

1010

00:39:55,660 --> 00:39:57,895

but what people have done is compared the sizes of

1011

00:39:57,895 --> 00:40:00,865

the chunks of ice breaking off to like buildings.

1012

00:40:00,865 --> 00:40:02,533

And so, some of these chunks you're looking at,

1013

00:40:02,533 --> 00:40:04,736

they are like as big as the US Capitol building.

1014

00:40:04,736 --> 00:40:05,837

>> JOSH WILLIS: I think, you know,

1015

00:40:05,837 --> 00:40:09,607

one of the striking things about that image of the ice

1016

00:40:09,607 --> 00:40:13,077

breaking off is the timeline that's associated with it.

1017

00:40:13,077 --> 00:40:15,146

If you look carefully,

1018

00:40:15,146 --> 00:40:19,250

that data goes back to the late 1800s.

1019

00:40:19,250 --> 00:40:21,252

So this glacier has
been retreating,

1020

00:40:21,252 --> 00:40:25,023

steadily for
the last 150 years.

1021

00:40:25,023 --> 00:40:28,626

And it's
happening, you know,

1022

00:40:28,626 --> 00:40:31,696

on a glacial time-scale,
very rapidly.

1023

00:40:31,696 --> 00:40:34,632

So we're really interested
in trying to study just

1024

00:40:34,632 --> 00:40:37,969

how these things
are proceeding

1025

00:40:37,969 --> 00:40:39,737

and what the role of
the ocean might be

1026

00:40:39,737 --> 00:40:41,673

in helping
to drive them.

1027

00:40:41,673 --> 00:40:44,742

Clearly, if those things are
being eaten away at the edges,

1028

00:40:44,742 --> 00:40:46,477

we need to be able
to quantify that

1029

00:40:46,477 --> 00:40:50,515

if we ever hope to predict
sea level rise into the future.

1030

00:40:50,515 --> 00:40:51,582

>> TOM WAGNER: Hey, Josh!

1031

00:40:51,582 --> 00:40:53,851

Now you are kind of a man
who's a Jack of all trades.

1032

00:40:53,851 --> 00:40:55,687

We're also going to talk
now about Jason-3

1033

00:40:55,687 --> 00:40:57,188

as we kind of
finalize our program

1034

00:40:57,188 --> 00:40:58,189

and we're going to
start talking about

1035

00:40:58,189 --> 00:41:00,625

what are the things that
are coming next for NASA.

1036

00:41:00,625 --> 00:41:02,293

Can you tell us a
little about Jason-3?

1037

00:41:02,293 --> 00:41:03,561

And I understand we
have a picture of it

1038

00:41:03,561 --> 00:41:05,496

to put up that you
might talk to.

1039

00:41:05,496 --> 00:41:07,665

>> JOSH WILLIS: Well,
the Jason missions

1040

00:41:07,665 --> 00:41:09,200
are really in my opinion,

1041

00:41:09,200 --> 00:41:12,003
one of our most
important means

1042

00:41:12,003 --> 00:41:16,708
of measuring the human impact
on the global climate.

1043

00:41:16,708 --> 00:41:20,578
Because these missions
measure the total volume

1044

00:41:20,578 --> 00:41:23,147
of the ocean basically
once every ten days,

1045

00:41:23,147 --> 00:41:24,849
we can really watch how,

1046

00:41:24,849 --> 00:41:28,653
the entire planet is
responding to climate change,

1047

00:41:28,653 --> 00:41:30,688
with kind of
one single number,

1048

00:41:30,688 --> 00:41:33,324
and these satellites
have been providing

1049

00:41:33,324 --> 00:41:36,627
that record since

the early 1990s,

1050

00:41:36,627 --> 00:41:38,963

and we're really looking
forward to Jason-3

1051

00:41:38,963 --> 00:41:41,065

in order to continue
that record.

1052

00:41:41,065 --> 00:41:43,701

A lot of folks ask, "Well,
what's new about Jason-3?"

1053

00:41:43,701 --> 00:41:45,470

And I like to say, "Well,
it's going to measure

1054

00:41:45,470 --> 00:41:48,239

the next five years instead
of the last five years."

1055

00:41:48,239 --> 00:41:51,042

And really, that's a big
deal in climate science.

1056

00:41:51,042 --> 00:41:55,113

A lot of what we need are long
records to be able to compare

1057

00:41:55,113 --> 00:41:57,348

what's going
on to the ice

1058

00:41:57,348 --> 00:42:02,520

and the oceans today with
how they were decades ago.

1059

00:42:02,520 --> 00:42:04,222

>> TOM WAGNER: I think

what people forget is

1060

00:42:04,222 --> 00:42:06,324

some of the big components
of the Earth's system,

1061

00:42:06,324 --> 00:42:08,559

they behave on
decadal timescales.

1062

00:42:08,559 --> 00:42:10,661

Like the Pacific
Decadal Oscillation.

1063

00:42:11,963 --> 00:42:13,564

So tell us a
little about that.

1064

00:42:13,564 --> 00:42:14,832

>> JOSH WILLIS:
Yeah, absolutely.

1065

00:42:14,832 --> 00:42:16,100

One of the things that,

1066

00:42:16,100 --> 00:42:17,735

the satellite altimeters

1067

00:42:17,735 --> 00:42:19,670

do is they
measure sea level,

1068

00:42:19,670 --> 00:42:21,339

not just everywhere
around the globe,

1069

00:42:21,339 --> 00:42:23,541

but in each place
around the globe.

1070

00:42:23,541 --> 00:42:26,711

So you can see clearly
where the Pacific Ocean

1071

00:42:26,711 --> 00:42:30,314

is rising quickly, like
in the West Pacific

1072

00:42:30,314 --> 00:42:32,417

near Indonesia and Australia.

1073

00:42:32,417 --> 00:42:34,919

They've been getting hammered
with rates of sea level rise

1074

00:42:34,919 --> 00:42:38,322

that are three times as
large as the global average.

1075

00:42:38,322 --> 00:42:41,225

So they are getting way
more than their fair share

1076

00:42:41,225 --> 00:42:42,894

of sea level rise.

1077

00:42:42,894 --> 00:42:47,131

We on the West Coast,
here in California,

1078

00:42:47,131 --> 00:42:49,233

have been getting less
than our fair share.

1079

00:42:49,233 --> 00:42:51,569

In fact, in some places
sea levels have actually

1080

00:42:51,569 --> 00:42:55,206

fallen very slightly, and
that sometimes can give us

1081
00:42:55,206 --> 00:42:57,308
a sort of false
sense of security.

1082
00:42:57,308 --> 00:42:59,410
You know, global sea
levels are rising

1083
00:42:59,410 --> 00:43:02,647
and we're going to have
to pay that debt of,

1084
00:43:02,647 --> 00:43:05,817
sea level rise that we
didn't get in the last 20 years

1085
00:43:05,817 --> 00:43:09,020
probably sometime in
the next 20 years.

1086
00:43:09,020 --> 00:43:10,521
>> TOM WAGNER: Josh, thanks a
lot for joining us today!

1087
00:43:10,521 --> 00:43:11,522
We really appreciate it!

1088
00:43:11,522 --> 00:43:12,957
Good luck with
that mission!

1089
00:43:12,957 --> 00:43:14,659
>> MICHELLE: So we've
talked a lot today about

1090
00:43:14,659 --> 00:43:15,960
all kinds of

different scientists

1091

00:43:15,960 --> 00:43:17,261

that are studying ice,

1092

00:43:17,261 --> 00:43:18,863

that are studying

ocean level rise,

1093

00:43:18,863 --> 00:43:21,432

and one of my big questions

is, "What comes next?"

1094

00:43:21,432 --> 00:43:22,867

>> TOM WAGNER: Yeah. So

NASA has actually got

1095

00:43:22,867 --> 00:43:24,168

a bunch of missions coming up

1096

00:43:24,168 --> 00:43:25,503

that's going to

help us with this.

1097

00:43:25,503 --> 00:43:26,871

You know, so the next

thing that's going to happen

1098

00:43:26,871 --> 00:43:28,439

is the Grace

Follow-On Mission

1099

00:43:28,439 --> 00:43:31,142

which we're hoping is going

to provide a higher resolution

1100

00:43:31,142 --> 00:43:33,978

look at this mass loss and

change of the ice sheets.

1101

00:43:33,978 --> 00:43:36,147

Then after that, we have
the ICESat-2 launch.

1102

00:43:36,147 --> 00:43:38,816

An ICESat is this laser
altimeter that goes and --

1103

00:43:38,816 --> 00:43:41,018

literally, it's like a
laser pointer in space,

1104

00:43:41,018 --> 00:43:42,987

tells us very precisely
the height of the ice

1105

00:43:42,987 --> 00:43:44,689

over the entire planet.

1106

00:43:44,689 --> 00:43:46,524

It even also gets used
to measure global forest

1107

00:43:46,524 --> 00:43:48,092

heights and abundance.

1108

00:43:48,092 --> 00:43:49,427

And so then after
that we will have

1109

00:43:49,427 --> 00:43:51,195

what's called the NISAR Mission,

1110

00:43:51,195 --> 00:43:53,030

which is a mission
jointly with India,

1111

00:43:53,030 --> 00:43:55,233

that's a new radar mapper,

that's going to tell us

1112

00:43:55,233 --> 00:43:57,935

a lot about how the
velocity of the ice flow

1113

00:43:57,935 --> 00:43:59,670

to the ocean
has been changing.

1114

00:43:59,670 --> 00:44:01,639

So what we are really
hoping is that we can pull

1115

00:44:01,639 --> 00:44:02,974

all this information
together

1116

00:44:02,974 --> 00:44:04,842

and really narrow down
those error bars

1117

00:44:04,842 --> 00:44:06,377

on the estimates of
sea level rise

1118

00:44:06,377 --> 00:44:08,246

and help society plan.

1119

00:44:08,246 --> 00:44:09,814

>> MICHELLE: And it may
surprise you to know

1120

00:44:09,814 --> 00:44:12,817

that so many NASA resources are
being used to study the ice,

1121

00:44:12,817 --> 00:44:14,118

to study the Earth.

1122

00:44:14,118 --> 00:44:15,319

So when people ask
you the question,

1123

00:44:15,319 --> 00:44:17,288

"Why is NASA studying ice?"

1124

00:44:17,288 --> 00:44:18,389

What's your answer?

1125

00:44:18,389 --> 00:44:19,724

>> TOM WAGNER: You know,
it's a pretty simple answer.

1126

00:44:19,724 --> 00:44:21,058

This is global question.

1127

00:44:21,058 --> 00:44:22,860

You need to measure all the
different parts of the system

1128

00:44:22,860 --> 00:44:25,363

at once and you need different
technologies to do it,

1129

00:44:25,363 --> 00:44:26,564

and new technologies,

1130

00:44:26,564 --> 00:44:28,299

and that's what NASA
excels at, you know.

1131

00:44:28,299 --> 00:44:30,935

And so we build the satellites
and the technologies

1132

00:44:30,935 --> 00:44:33,037

and the aircraft to make
these kinds of measurements

1133

00:44:33,037 --> 00:44:35,172
and we put people in the field
to help pull it together.

1134

00:44:35,172 --> 00:44:37,375
But I should say too, we
also work with our partners.

1135

00:44:37,375 --> 00:44:39,043
You know, the National
Science Foundation,

1136

00:44:39,043 --> 00:44:42,046
the Department of Energy,
NOAA, the USGS,

1137

00:44:42,046 --> 00:44:43,781
they are also all
working on this problem

1138

00:44:43,781 --> 00:44:45,183
and we all work together.

1139

00:44:45,183 --> 00:44:47,451
>> MICHELLE: So NASA is
studying many different aspects

1140

00:44:47,451 --> 00:44:49,287
of how the oceans
are changing,

1141

00:44:49,287 --> 00:44:51,222
and this is something that's
happening right now.

1142

00:44:51,222 --> 00:44:53,124
This is something that's going
to affect all of our lives,

1143

00:44:53,124 --> 00:44:55,560

no matter how close

we actually live to water.

1144

00:44:55,560 --> 00:44:58,596

So on behalf of NASA and

all the scientists of NASA,

1145

00:44:58,596 --> 00:45:00,631

one of the things

I can say is,