



1  
00:00:01,476 --> 00:00:02,006  
>> Kelly Humphries: Hi,

2  
00:00:02,006 --> 00:00:04,376  
and welcome to Mission  
Control Houston.

3  
00:00:04,706 --> 00:00:07,686  
Welcome with me today  
Dina Contella,

4  
00:00:07,686 --> 00:00:10,056  
one of our flight  
director team members

5  
00:00:10,056 --> 00:00:11,986  
for the International  
Space Station.

6  
00:00:12,246 --> 00:00:15,456  
A veteran spacewalk  
or EVA officer

7  
00:00:15,456 --> 00:00:17,166  
because she became  
a flight director,

8  
00:00:17,496 --> 00:00:18,946  
and somebody who's been working

9  
00:00:18,946 --> 00:00:21,726  
with the troubleshooting  
activities on the spacesuit

10  
00:00:21,726 --> 00:00:25,516  
that we had trouble with on July  
16 when Luca Parmitano had a lot

11  
00:00:25,516 --> 00:00:27,056

of water fill up in his helmet,

12

00:00:27,356 --> 00:00:29,016

and she's been working  
very closely

13

00:00:29,016 --> 00:00:31,366

with the team that's pulling  
together the troubleshooting

14

00:00:31,366 --> 00:00:34,516

activities, and trying to figure  
out exactly what happened there.

15

00:00:34,956 --> 00:00:38,026

Dina, even though this  
was a Labor Day weekend,

16

00:00:38,346 --> 00:00:42,376

the Space Station crew did do  
quite a bit of work on Saturday.

17

00:00:42,836 --> 00:00:45,496

Primarily troubleshooting  
the problem with the helmet

18

00:00:45,996 --> 00:00:48,266

and the water supplies that got

19

00:00:48,266 --> 00:00:50,336

into the helmet from  
the spacesuit.

20

00:00:50,336 --> 00:00:54,236

The spacewalk was cut short,  
but everybody got inside safe,

21

00:00:54,236 --> 00:00:56,496

and now we're trying to  
figure out what happened.

22

00:00:56,576 --> 00:00:59,726

What did the crew do over  
the weekend this weekend?

23

00:01:00,436 --> 00:01:00,686

>> Dina Contella: OK.

24

00:01:00,686 --> 00:01:03,836

Well, just to back up a  
little, prior to this weekend,

25

00:01:03,836 --> 00:01:06,556

the whole team has been trying  
to figure out what the source

26

00:01:06,556 --> 00:01:07,476

of the problem could be,

27

00:01:08,056 --> 00:01:12,666

and so the team developed  
some procedures on the ground

28

00:01:12,826 --> 00:01:15,446

to change out some  
components of the suit,

29

00:01:15,776 --> 00:01:17,726

and the idea would be change  
some of the components out

30

00:01:17,726 --> 00:01:21,406

and then retest the suit out  
to see if the water continues

31

00:01:21,406 --> 00:01:22,476

to go into the helmet.

32

00:01:23,016 --> 00:01:24,986

And so, and the team  
worked very hard on that.

33

00:01:24,986 --> 00:01:28,676

There's, essentially the  
problem is probably located

34

00:01:28,676 --> 00:01:31,146

in this one area of the  
life support system,

35

00:01:31,146 --> 00:01:32,766

that's the backpack  
of the spacesuit,

36

00:01:33,216 --> 00:01:35,626

and there are some  
valves that are associated

37

00:01:35,816 --> 00:01:38,866

with both the water system  
and the oxygen system kind

38

00:01:38,866 --> 00:01:43,476

of in an intimately close area  
to each other in proximity

39

00:01:43,526 --> 00:01:46,486

such that water could get into  
the oxygen vent loop system.

40

00:01:46,996 --> 00:01:50,476

And so the team identified  
specifically one valve

41

00:01:50,476 --> 00:01:52,366

and also a filter that  
could have been the cause

42

00:01:52,366 --> 00:01:56,016

of the issue, and additionally  
there was another valve

43

00:01:56,016 --> 00:01:59,206

that is sort of easy to  
change out there, not a valve

44

00:01:59,256 --> 00:02:01,936

but a component called  
the gas trap.

45

00:02:01,936 --> 00:02:04,676

And so, you know, on the  
ground, the technicians

46

00:02:04,676 --> 00:02:07,236

and the engineers and  
ops team all got together

47

00:02:07,236 --> 00:02:08,786

and got the procedures ready,

48

00:02:09,176 --> 00:02:11,826

and what the crew did this  
weekend was change out of

49

00:02:11,826 --> 00:02:14,066

that valve, which if  
that valve is a problem,

50

00:02:14,066 --> 00:02:15,116

it could have caused  
the flooding.

51

00:02:15,856 --> 00:02:18,196

Also, the filter,  
which if it was clogged

52

00:02:18,196 --> 00:02:20,026

that could have caused

the flooding, and then,

53

00:02:20,026 --> 00:02:20,926

like I said, the gas trap.

54

00:02:21,216 --> 00:02:24,216

And after the first component  
and filter were changed out,

55

00:02:24,216 --> 00:02:27,036

we did a screening where  
we tested the suit out,

56

00:02:27,306 --> 00:02:30,606

buttoned it all up as if it  
was preparing for going EVA,

57

00:02:30,916 --> 00:02:34,886

and then we checked to see  
if what was still coming

58

00:02:34,886 --> 00:02:38,476

in the helmet, which it was, and  
then we took the gas trap out

59

00:02:38,476 --> 00:02:39,996

and put a new one in,  
and then we screened

60

00:02:39,996 --> 00:02:41,466

that also just to make sure.

61

00:02:41,466 --> 00:02:42,916

We didn't think that was  
the cause of the issue,

62

00:02:42,916 --> 00:02:45,496

but we wanted the gas  
trap on the ground anyway.

63

00:02:45,566 --> 00:02:48,516

So anyway we did all  
that troubleshooting,

64

00:02:48,516 --> 00:02:50,956

and we got helmet, water  
in the helmet both times.

65

00:02:51,066 --> 00:02:53,396

So we have not isolated the  
exact component that failed

66

00:02:53,396 --> 00:02:56,936

yet on Luca's suit, but we did  
a lot of good work this weekend

67

00:02:56,936 --> 00:02:58,596

by recovering those items.

68

00:02:58,706 --> 00:03:00,876

We bagged them all up  
for return on the Soyuz,

69

00:03:00,876 --> 00:03:02,986

and so on the ground,  
the team will be able

70

00:03:02,986 --> 00:03:06,076

to take really close  
up photos and kind

71

00:03:06,076 --> 00:03:08,076

of pick apart the different  
materials that might be

72

00:03:08,296 --> 00:03:10,566

in those components and  
check for mechanical failure

73

00:03:10,566 --> 00:03:12,336  
and those types of things.

74

00:03:12,336 --> 00:03:13,986  
You know, for example,  
the gas trap.

75

00:03:14,316 --> 00:03:16,266  
We didn't think it was  
the source of the problem,

76

00:03:16,266 --> 00:03:18,866  
but it has a really  
fine mesh screen on it.

77

00:03:18,926 --> 00:03:21,866  
So if there was kind of anything  
interesting in the water

78

00:03:21,976 --> 00:03:23,626  
that was going through  
the gas trap, it's water

79

00:03:23,626 --> 00:03:26,616  
and gas combined, then that  
might collect some evidence

80

00:03:26,696 --> 00:03:27,806  
that would be on the screen.

81

00:03:28,136 --> 00:03:29,666  
So we did a lot of  
great work this weekend,

82

00:03:29,666 --> 00:03:32,366  
although we haven't specifically  
isolated that exact cause,

83

00:03:32,366 --> 00:03:33,686  
and we're really

excited to get some

84

00:03:33,686 --> 00:03:34,906

of that hardware  
back on the ground.

85

00:03:35,436 --> 00:03:35,796

>> Kelly Humphries: OK.

86

00:03:36,266 --> 00:03:39,936

So you're doing everything  
it sounds like, but one thing

87

00:03:39,936 --> 00:03:42,816

at a time to try and  
isolate what's going on.

88

00:03:42,816 --> 00:03:44,656

Has it been tough to  
pinpoint this issue?

89

00:03:45,426 --> 00:03:47,396

>> Dina Contella: It is  
actually pretty hard.

90

00:03:48,156 --> 00:03:50,786

There are a few items that  
are in close proximity

91

00:03:50,786 --> 00:03:52,146

to each other, and  
so trying to figure

92

00:03:52,146 --> 00:03:55,596

out which one those it could  
be has been one of the issues,

93

00:03:56,186 --> 00:03:59,536

but it's also not, you  
can't really drive your EMU

94

00:03:59,536 --> 00:04:03,006

over to the gas station and have  
the mechanic take a look at it

95

00:04:03,336 --> 00:04:06,936

because really the  
technicians are really experts

96

00:04:06,936 --> 00:04:09,286

at this very intricate type  
work that it takes to work

97

00:04:09,286 --> 00:04:12,786

in the life support system, and  
all of their work is done here

98

00:04:12,786 --> 00:04:16,636

on the ground without  
zero g type of hindrance

99

00:04:16,726 --> 00:04:19,106

to having small little  
screws and other things.

100

00:04:19,106 --> 00:04:21,186

They have a lot on the  
ground that they can do

101

00:04:21,606 --> 00:04:25,186

that we don't necessarily  
easily can translate basically

102

00:04:25,186 --> 00:04:26,366

onto on-orbit activities.

103

00:04:26,886 --> 00:04:29,966

So, for example, we have  
one component of the suit.

104

00:04:30,136 --> 00:04:33,596

It's a combination of fan,  
water separator, and pump,

105

00:04:33,976 --> 00:04:36,506

and that particular item, it  
would be great if we could get

106

00:04:36,506 --> 00:04:38,436

that particular component  
back on the ground, too,

107

00:04:38,436 --> 00:04:40,146

but it's much more  
difficult to change out.

108

00:04:40,216 --> 00:04:43,106

So it's hard to pinpoint the  
issue when you can't just go

109

00:04:43,106 --> 00:04:45,056

and grab items off the suit

110

00:04:45,056 --> 00:04:47,176

and then have your experts  
take a look at them.

111

00:04:47,376 --> 00:04:49,786

It takes a lot of effort to get  
some of those components out.

112

00:04:50,286 --> 00:04:51,666

>> Kelly Humphries: Well, and  
the crew members have a lot

113

00:04:51,666 --> 00:04:54,326

of training in how to operate  
their suits but maybe not

114

00:04:54,326 --> 00:04:58,096  
so much [inaudible] how to  
maintain them, but maybe not

115  
00:04:58,096 --> 00:04:59,936  
as much in troubleshooting,  
and so you're trying

116  
00:04:59,936 --> 00:05:02,896  
to give them really good  
procedures to get all this done,

117  
00:05:02,896 --> 00:05:05,136  
and everything's a  
little bit harder to do

118  
00:05:05,136 --> 00:05:06,356  
in zero g, too, right.

119  
00:05:06,626 --> 00:05:07,326  
>> Dina Contella: Exactly.

120  
00:05:07,326 --> 00:05:12,006  
And so the, you know, as an  
example, the work that we did

121  
00:05:12,006 --> 00:05:14,636  
over the weekend, there's some  
very small tiny screws that,

122  
00:05:14,716 --> 00:05:15,916  
you know, we didn't  
want to have get lost.

123  
00:05:16,056 --> 00:05:20,256  
So we set up some mesh over the  
end of a vacuum cleaner to try

124  
00:05:20,256 --> 00:05:22,636  
to catch some of those

components, but the development

125

00:05:22,636 --> 00:05:24,616

of all of that type of stuff you  
don't want the crew necessarily

126

00:05:24,616 --> 00:05:25,686

to try to do it themselves.

127

00:05:26,176 --> 00:05:28,956

We like to try to give them  
the best techniques possible

128

00:05:28,996 --> 00:05:30,986

since they've never laid  
eyes on the hardware.

129

00:05:31,376 --> 00:05:34,406

So trying to translate what  
these expert technicians are

130

00:05:34,406 --> 00:05:36,376

doing on the ground into  
something that can be done

131

00:05:36,376 --> 00:05:38,816

in zero g is just, I mean,  
it takes quite a bit of work.

132

00:05:39,296 --> 00:05:39,406

>> Kelly Humphries: OK.

133

00:05:39,966 --> 00:05:42,686

Well, now, there's a formal  
mishap investigation board

134

00:05:42,686 --> 00:05:44,686

that's been formed to  
look at this issue.

135

00:05:45,116 --> 00:05:47,616

So why do we need the crew to do all this troubleshooting now?

136

00:05:48,676 --> 00:05:48,896

>> Dina Contella: OK.

137

00:05:48,896 --> 00:05:51,476

Well, you know, the mishap investigation board is kind

138

00:05:51,476 --> 00:05:53,086

of an independent review,

139

00:05:53,596 --> 00:05:56,276

but Space Station ops continue every day.

140

00:05:56,356 --> 00:06:01,006

So the crew is doing science every day, and there's vehicles

141

00:06:01,006 --> 00:06:02,916

that are coming and going, and there's a lot

142

00:06:02,916 --> 00:06:06,446

of potential contingencies or off-nominal situations

143

00:06:06,446 --> 00:06:08,666

that could crop up that would require a spacewalk.

144

00:06:09,206 --> 00:06:12,016

And so should one of these crop up, we would like to be ready

145

00:06:12,016 --> 00:06:14,246

to say that we understand  
what the failure is

146

00:06:14,246 --> 00:06:17,466  
and what we would do in case  
the water was starting to come

147

00:06:17,466 --> 00:06:19,726  
into the helmet again  
such that we would be able

148

00:06:19,726 --> 00:06:21,786  
to say we might be able to  
go out and perform an EVA

149

00:06:21,786 --> 00:06:23,566  
in an off-nominal situation.

150

00:06:23,946 --> 00:06:26,126  
So while the mishap  
investigation board is working

151

00:06:26,126 --> 00:06:27,596  
that, at the same  
time we're trying

152

00:06:27,596 --> 00:06:32,226  
to understand how we  
could get to the point

153

00:06:32,226 --> 00:06:35,256  
where we could say we could go  
and do it, perform another EVA,

154

00:06:35,256 --> 00:06:37,706  
working on the operation  
procedures for the crew

155

00:06:37,966 --> 00:06:38,816  
in case it happened again.

156

00:06:38,816 --> 00:06:41,226

And additionally, we're working

157

00:06:41,226 --> 00:06:43,546

with the mishap investigation  
board on the gathering

158

00:06:43,546 --> 00:06:45,896

of the evidence in  
bringing it to the ground.

159

00:06:46,116 --> 00:06:50,116

This is good information that  
they need as well as, you know,

160

00:06:50,466 --> 00:06:54,976

as the rest of us, I'd say  
maybe the Space Station team

161

00:06:55,036 --> 00:06:57,246

in general is all trying to  
collect the same evidence,

162

00:06:57,246 --> 00:06:59,596

and the mishap investigation  
board is hand in hand wanting

163

00:06:59,596 --> 00:07:00,776

that same information.

164

00:07:00,826 --> 00:07:03,286

So working hand in hand,  
but they're doing more

165

00:07:03,286 --> 00:07:04,236

of an independent review.

166

00:07:04,556 --> 00:07:04,856

>> Kelly Humphries: OK.

167

00:07:05,496 --> 00:07:08,906

To the layman, it seems a little strange that you'd put the air

168

00:07:08,906 --> 00:07:10,986

and water systems so close together

169

00:07:10,986 --> 00:07:12,646

that they could cross over.

170

00:07:12,646 --> 00:07:15,236

Can you explain a little, is that just because you have

171

00:07:15,236 --> 00:07:18,696

to shrink things down so small for use in space?

172

00:07:19,726 --> 00:07:20,596

>> Dina Contella:

Well, the, you know,

173

00:07:20,876 --> 00:07:25,876

they're intimately tied together because the spacesuit is cooled

174

00:07:25,976 --> 00:07:29,496

by water, and the, so we feed water

175

00:07:29,766 --> 00:07:31,836

into something called a sublimator [phonetic],

176

00:07:32,156 --> 00:07:33,736

and the sublimator forms a, you know,

177

00:07:33,736 --> 00:07:35,536

when the water hits the  
sublimator in a vacuum,

178

00:07:35,536 --> 00:07:39,026

it forms a sheet of ice, and  
then that ice sublimates off.

179

00:07:39,026 --> 00:07:41,146

It basically turns to  
vapor and immediately

180

00:07:41,146 --> 00:07:43,456

in that whole process  
gives the suit cooling.

181

00:07:43,876 --> 00:07:49,116

And in addition to cooling  
the components of the suit,

182

00:07:49,546 --> 00:07:52,056

that's used to cool the  
crew member's body off,

183

00:07:53,256 --> 00:07:56,686

and [inaudible] you can see here  
there's water in the helmet.

184

00:07:56,686 --> 00:07:58,486

This is basically what  
Luca was experiencing,

185

00:07:58,486 --> 00:08:00,816

but this is us inside the  
cabin doing some testing here.

186

00:08:01,916 --> 00:08:03,406

But back on the sublimator.

187

00:08:03,406 --> 00:08:07,176

So that particular loop,  
it cools off the oxygen

188

00:08:07,176 --> 00:08:10,406

that the crew member's breathing  
as well, and it condenses,

189

00:08:10,596 --> 00:08:13,416

for example, your breath has  
a little bit of moisture in it

190

00:08:13,416 --> 00:08:14,976

and your sweat, and  
that's the kind of thing.

191

00:08:15,046 --> 00:08:17,976

So the sublimator helps  
to condense that out

192

00:08:17,976 --> 00:08:19,266

like an air conditioner  
a little bit.

193

00:08:19,566 --> 00:08:22,426

So you can see how the vent  
loop and the oxygen loop have

194

00:08:22,426 --> 00:08:25,456

to be intimately tied together,  
and also you can see bubbles

195

00:08:25,606 --> 00:08:28,706

that would occur potentially  
in your cooling loop system,

196

00:08:28,706 --> 00:08:30,966

and you want to get the bubbles  
out and, hence, the gas trap.

197

00:08:31,276 --> 00:08:34,286

So there's a few ways in  
which they're tied together,

198

00:08:34,436 --> 00:08:36,976

and we have to use the  
water to cool the oxygen,

199

00:08:37,376 --> 00:08:40,906

and we use a water separator  
specifically to separate,

200

00:08:40,906 --> 00:08:43,806

because you keep those two  
separate, water and oxygen.

201

00:08:44,186 --> 00:08:48,456

In this particular case,  
though, the water separator,

202

00:08:48,896 --> 00:08:53,156

it's I guess theorized that the  
water separator allowed flooding

203

00:08:53,156 --> 00:08:55,156

to go into the vent loop  
in this particular case,

204

00:08:55,156 --> 00:08:57,626

but what the root cause is  
we're, is still unknown.

205

00:08:58,886 --> 00:08:59,136

>> Kelly Humphries: OK.

206

00:08:59,466 --> 00:09:01,466

And we were looking at  
that picture of the helmet,

207

00:09:01,466 --> 00:09:05,406  
and basically the air that  
circulates in the helmet comes

208  
00:09:05,406 --> 00:09:06,396  
over the back of the head,

209  
00:09:06,396 --> 00:09:08,086  
and that's what we  
were looking at there.

210  
00:09:08,426 --> 00:09:08,726  
>> Dina Contella: Exactly -

211  
00:09:08,726 --> 00:09:09,036  
>> Kelly Humphries: So -

212  
00:09:09,036 --> 00:09:11,106  
>> Dina Contella: Because the  
water actually was basically

213  
00:09:11,106 --> 00:09:13,246  
entering at that point  
and coming up over the top

214  
00:09:13,246 --> 00:09:14,406  
of the head in this  
particular case.

215  
00:09:14,716 --> 00:09:16,926  
It also comes around the  
sides, and, you know,

216  
00:09:17,196 --> 00:09:18,736  
it [inaudible] the  
geometry of the vent pad.

217  
00:09:19,236 --> 00:09:19,466  
>> Kelly Humphries: OK.

218

00:09:19,746 --> 00:09:23,096

So how big of a team  
is working on this?

219

00:09:23,096 --> 00:09:25,636

Sounds like you guys didn't  
get a full Labor Day weekend.

220

00:09:26,386 --> 00:09:27,366

>> Dina Contella:  
Well, that's true.

221

00:09:27,366 --> 00:09:30,006

So we've been working on  
this for quite some time

222

00:09:30,206 --> 00:09:31,386

over the course of  
several weeks.

223

00:09:31,386 --> 00:09:35,426

I'd say the majority of the team  
is really the spacesuit team.

224

00:09:35,776 --> 00:09:38,246

Of course, other  
disciplines are affected

225

00:09:38,486 --> 00:09:40,986

like our life support system  
officers and things like that,

226

00:09:41,036 --> 00:09:44,636

but our operations team  
and our engineering team

227

00:09:44,696 --> 00:09:47,286

and all those expert  
spacesuit technicians that,

228

00:09:47,286 --> 00:09:50,576

as I mentioned have been  
working several weeks in a row,

229

00:09:50,576 --> 00:09:52,986

and we wanted to give everyone  
Labor Day weekend off, but,

230

00:09:52,986 --> 00:09:55,176

unfortunately, the way the  
crew's scheduling and all

231

00:09:55,176 --> 00:09:56,906

of our science worked  
out, we really wanted

232

00:09:56,906 --> 00:09:58,606

to do those operations  
on Saturday.

233

00:09:59,136 --> 00:10:01,216

So we did have a lot of  
the key individuals here

234

00:10:01,216 --> 00:10:03,836

in the control center on  
Saturday, and then we had

235

00:10:03,836 --> 00:10:06,786

to do a little bit of maybe I'd  
call it telework or, you know,

236

00:10:06,786 --> 00:10:08,716

work from home on  
Sunday, but we have tried,

237

00:10:08,716 --> 00:10:10,606

we did try to give  
the folks Monday off.

238

00:10:11,046 --> 00:10:11,626

>> Kelly Humphries:

Well, that's good.

239

00:10:12,226 --> 00:10:15,546

Let's change gears here a little bit, and I know you're not

240

00:10:15,546 --> 00:10:18,526

as in the middle of the preparations

241

00:10:18,526 --> 00:10:21,386

for the cargo vehicle departure that's coming up tomorrow,

242

00:10:21,386 --> 00:10:24,616

but can you just kind of give us an overview while we got you

243

00:10:24,616 --> 00:10:27,496

here of what it's like to get a cargo vehicle

244

00:10:27,496 --> 00:10:28,976

like HTV ready to go?

245

00:10:29,766 --> 00:10:29,986

>> Dina Contella: OK.

246

00:10:29,986 --> 00:10:32,196

Well, today is a good example.

247

00:10:32,196 --> 00:10:34,746

As I walked up, I heard you talking about the fact

248

00:10:34,796 --> 00:10:36,936

that we have Luca right

now in the vestibule.

249

00:10:37,226 --> 00:10:39,436

Essentially, you need to get everything prepped and ready

250

00:10:39,436 --> 00:10:41,786

to go between HTV and the Space Station.

251

00:10:41,786 --> 00:10:44,816

You know, our Japanese cargo vehicle and the Space Station.

252

00:10:44,816 --> 00:10:47,366

So there's this area called the vestibule in between

253

00:10:47,366 --> 00:10:51,586

where you have jumpers and hoses and things that would allow you

254

00:10:51,586 --> 00:10:56,116

to supply air and power and data in between the two vehicles.

255

00:10:56,826 --> 00:10:58,866

So basically you need to close all that out

256

00:10:59,146 --> 00:11:03,306

so the HTV can depart, and additionally you have

257

00:11:03,306 --> 00:11:05,426

to install some boxes.

258

00:11:05,426 --> 00:11:08,356

I know they're doing that today as well where these are boxes

259

00:11:08,386 --> 00:11:10,686  
that would have interfered  
with the translation and then

260

00:11:10,686 --> 00:11:13,506  
in between the two vehicles  
and putting in, taking cargo in

261

00:11:13,856 --> 00:11:16,926  
and taking cargo out, but  
we want to reinstall those.

262

00:11:16,926 --> 00:11:20,166  
Those boxes will help control  
the bolting, or the unbolting

263

00:11:20,266 --> 00:11:22,176  
in this particular  
case for the release.

264

00:11:22,736 --> 00:11:25,216  
And so I know the crew's  
doing that today as well.

265

00:11:25,646 --> 00:11:30,726  
And then overnight the ground  
will do some preparations

266

00:11:30,786 --> 00:11:34,016  
for the [inaudible] as  
well, and then, of course,

267

00:11:34,016 --> 00:11:34,956  
tomorrow is the release.

268

00:11:35,536 --> 00:11:36,996  
And the crew I know  
has been practicing

269

00:11:36,996 --> 00:11:37,896  
as well for the release.

270

00:11:38,076 --> 00:11:41,116  
It's not as big an operation as  
the rendezvous and the capture,

271

00:11:41,116 --> 00:11:46,736  
but it still requires some  
preparation work on their part.

272

00:11:46,736 --> 00:11:47,126  
>> Kelly Humphries:  
And, you know,

273

00:11:47,126 --> 00:11:49,346  
while all this is  
going on, we've all,

274

00:11:49,346 --> 00:11:51,196  
we're also getting ready for  
three of the crew members

275

00:11:51,196 --> 00:11:56,196  
to come home, and so tell us  
a little bit about what it's

276

00:11:56,196 --> 00:12:00,816  
like on board with departure of  
a cargo vehicle, getting ready

277

00:12:00,816 --> 00:12:04,306  
to bring yourself home as well  
for those three crew members.

278

00:12:04,506 --> 00:12:05,596  
>> Dina Contella: Oh,  
they've also been doing a lot

279

00:12:05,596 --> 00:12:09,336  
of packing, and then they still  
got some time to go, but they,

280  
00:12:09,336 --> 00:12:10,656  
I know they have  
a drill tomorrow

281  
00:12:10,986 --> 00:12:13,716  
to practice their  
descent essentially.

282  
00:12:14,126 --> 00:12:18,026  
And Chris I know has packed up  
most of all, most of his stuff,

283  
00:12:18,026 --> 00:12:20,456  
but there's maybe a  
couple last-minute items,

284  
00:12:20,456 --> 00:12:23,456  
and as I understand it talking  
with [inaudible] director,

285  
00:12:23,516 --> 00:12:26,056  
there's sort of a,  
you know, bittersweet.

286  
00:12:26,056 --> 00:12:28,866  
They're very happy to be  
returning, but at the same time,

287  
00:12:28,866 --> 00:12:30,406  
they don't want to  
leave Space Station.

288  
00:12:30,476 --> 00:12:32,986  
So I know they're in  
excellent spirits, though,

289

00:12:32,986 --> 00:12:35,226  
and they've been a  
really awesome crew.

290  
00:12:35,676 --> 00:12:38,206  
So looking forward to the  
next crew, but, you know,

291  
00:12:38,406 --> 00:12:40,196  
this crew has been  
special for us, for sure.

292  
00:12:40,196 --> 00:12:41,286  
>> Kelly Humphries: Oh, great.

293  
00:12:41,546 --> 00:12:45,166  
Dina Contella, a flight director  
and key member of the team

294  
00:12:45,166 --> 00:12:47,296  
that is troubleshooting  
the spacesuit problem.

295  
00:12:47,296 --> 00:12:49,996  
Thanks again for joining  
us today, and good luck

296  
00:12:49,996 --> 00:12:51,676  
with your continued  
work on figuring

297  
00:12:51,676 --> 00:12:52,536  
out what's going on there.

298  
00:12:52,716 --> 00:12:53,576  
>> Dina Contella:  
Thank you very much.

299  
00:12:53,576 --> 00:12:54,786  
Hope we solve the problem soon.

